

1  
2 IN THE UNITED STATES DISTRICT COURT  
3 FOR THE DISTRICT OF NEW JERSEY  
4 Civil No. 10-CV-2734-CCC

5 IN RE: BIOGEN '755 PATENT  
6 LITIGATION,

7 Transcript of  
8 Markman Hearing

9 -----

10 Newark, New Jersey  
11 January 12, 2012

12 B E F O R E: HONORABLE CLAIRE C. CECCHI,  
13 UNITED STATES DISTRICT JUDGE  
14  
15  
16  
17  
18

19 - - - - -  
20 Pursuant to Section 753 Title 28 United States Code, the  
21 following transcript is certified to be an accurate record as  
22 taken stenographically in the above-entitled proceedings.

23 S/Yvonne Davion  
24 Yvonne Davion, CCR  
25 Official Court Reporter

## A P P E A R A N C E S:

NICHOLAS GROOMBRIDGE, ESQ.  
CATHERINE NYARADY, ESQ.  
JULIA TARVER-MASON WOOD, ESQ.  
JOSEPHINE YOUNG, ESQ.  
MONIKA WROBEL, ESQ.  
For Biogen IDEC MA

KEVIN H. MARINO, ESQ.  
JOHN D. TORTORELLA, ESQ.  
For Biogen IDEC MA.

SUSAN ALEXANDER, ESQ.  
MARTHA BORN, ESQ.  
BART NEWLAND, ESQ.  
For Biogen Idec MA

WAYNE BARSKY, ESQ.  
TIMOTHY BEST, ESQ.  
AMELIA MARGUET, ESQ.  
For EMD, Serono and Pfizer

ROBERT GOODMAN, ESQ.  
DAVID BERL, ESQ.  
BRUCE GENDERSON, ESQ.  
GEORGE BERDEN, ESQ.  
For Bayer Healthcare Pharmaceuticals

DAVID DeLORENZI, ESQ.  
SHEILA McSHANE, ESQ.  
For EMD Serono, Pfizer and Novartis

LESLIE MORIOKA, ESQ.  
GREGORY PARKER, ESQ.  
For Novartis

1 THE COURT: We are here on In re: Biogen, the  
2 '755 patent litigation. The case number is 10-2734. And  
3 before we begin, let's just start with our appearances and then  
4 we can discuss how the day is going to proceed. All right? So  
5 let us begin.

6 MR. MARINO: Good morning, your Honor.

7 THE COURT: Good morning.

8 MR. MARINO: Kevin Marino on behalf of Biogen  
9 Idec M.A. Seated with me counsel table is Nicholas Groombridge  
10 of Paul, Weiss, Rifkin, Wharton & Garrison. Also in the  
11 courtroom, your Honor, in no particular order, my partner John  
12 Tortorella from Paul, Weiss; Catherine Nyarady, Josephine  
13 Young, Monika Wrobel. Law clerk Nathaniel McPherson will be  
14 doing the presentation for us.

15 Also your Honor seated in the well, Susan  
16 Alexander, the executive vice-president and general counsel of  
17 Biogen; as well as Martha Born, vice-president and chief  
18 litigation counsel; Bart Newland, vice-president of I.P.  
19 litigation for Biogen and our expert witness Dr. David Jackson.

20 THE COURT: Which is the expert witness? Hello.  
21 Welcome.

22 MR. JACKSON: Thank you very much.

23 THE COURT: Nice to see you.

24 MR. MARINO: Nice to see you, your Honor.

25 THE COURT: Nice to see you as well.

1 MR. DeLORENZI: Good morning, David DeLorenzi with  
2 the Gibbons firm with my colleague Sheila McShane on behalf of  
3 EMD Serono, Inc., Pfizer Inc., and Novartis Pharmaceuticals  
4 Corp. I am going to allow my colleagues to introduce  
5 themselves to your Honor.

6 THE COURT: All right. Very well. Thank you.

7 MR. BARSKY: Good morning, your Honor. Wayne  
8 Barsky; Gibson, Dunn & Crutcher of Los Angeles. I have the  
9 privilege of representing EMD Serono, Inc and Pfizer, Inc. And  
10 with me in the courtroom are my colleagues Timothy Best.

11 MR. BEST: Good morning, your Honor.

12 THE COURT: Good morning.

13 MR. BARSKY: And Amelia Marguet. Also with us  
14 today, your Honor, from Merck Serono in Geneva is Giampiero  
15 DeLuca who is the senior vice-president for licensing and  
16 intellectual property of Merck Serono.

17 Thank you, your Honor.

18 THE COURT: Thank you very much.

19 MR. GOODMAN: Good morning, Bob Goodman from  
20 Greenbaum Rowe for Bayer. And I am here with, from the  
21 Williams & Connolly firm in Washington, D.C., David Berl,  
22 seated here, Bruce Genderson and Jonathan Porter.

23 THE COURT: Good morning.

24 MR. GOODMAN: Your Honor, also from Bayer, Susan  
25 Thatcher Strawbridge Shenae (sic) and our expert witness Dr.

1 Jeffrey Ravetch is here as well.

2 MS. McSHANE: I'm Sheila McShane. As well we have  
3 Leslie Morioka and Greg Parker for the Novartis Corporation.  
4 And with us also is Tim Wiseman who is in the well as well.

5 THE COURT: Excellent. Thank you very much.  
6 Did we get everyone? We got everyone. Okay. Very well.

7 Well, just by way of background I want to note the  
8 following: The parties are here obviously for a claims  
9 construction hearing and it's on Biogen's '755 patent which is  
10 directed to the drug Avon ex and it's used for the treatment of  
11 Multiple Sclerosis. And we are addressing claim Number 1 of  
12 the patent. More specifically the meaning of the following:  
13 Produced by a non human host transformed by a recombinant DNA  
14 molecule.

15 And I understand through the parties' briefing  
16 that you are not only in dispute over the meaning of the terms,  
17 but you also have an issue with respect to scope. And I  
18 imagine that will be addressed today as well.

19 And we had an order entered and we had a prior  
20 telephone conference regarding how today was going to proceed.  
21 I envision it proceeding with the tutorials first and then  
22 followed by the argument. But, if there has been any change or  
23 discussion with the parties and you want to let me know of some  
24 modification to that, I would be fine and happy to listen to  
25 that.

1 Any issues?

2 MR. GROOMBRIDGE: No, your Honor, we are planning  
3 to proceed exactly as the Court had directed in the telephone  
4 conference.

5 MR. BARSKY: Same with respect to us.

6 THE COURT: Very well. So everyone is in  
7 agreement. So if you would like to proceed, I have all the  
8 handouts from you folks. So, I think I am ready to go.

9 MR. GROOMBRIDGE: Thank you, your Honor.

10 THE COURT: Thank you.

11 MR. GROOMBRIDGE: I will proceed with the tutorial  
12 portion on behalf of Biogen.

13 And this by way of overview, your Honor, what we  
14 would like to do is first talk about the background from which  
15 the patent emerged. That's item 1. And then items 2 through 6  
16 what we would like to do here is, if it's not presumptuous, is  
17 try to equip the Court with an understanding of what these  
18 terms are which we think is probably a good idea as a basis for  
19 resolving the disputed issue that the Court accurately captured  
20 just now.

21 THE COURT: By the way, does anyone disagree with  
22 what I placed on the record in terms of what the issue is for  
23 today? We are all in agreement .

24 All right. Go forward.

25 MR. GROOMBRIDGE: So the patent, your Honor,

1 covers a method of treating diseases with a synthetic version  
2 of a naturally occurring protein which we will refer to as  
3 interferon beta. And what I would like to do then is just run  
4 through these various bullets here and talk about what led up  
5 to the emergence of this patent and we will get underway.

6 So, starting with the history of interferons.  
7 Interferons were discovered by accident, like many things in  
8 science, in the 1950s. And what interferons are, they are a  
9 class of materials produced naturally in the body that help the  
10 body to fight viral infection and certain other attacks.

11 And they were originally discovered in a manner  
12 that has some similarity with the discovery of antibiotics. A  
13 mistake was made in the lab and it was seen that something had  
14 happened that impeded the progress of a virus, and that led to  
15 further research. That was in the 1950s.

16 Scientists over the 1960s and 1970s moved forward  
17 trying to understand what was going on. They came to learn  
18 that there was not just one compound, but a family of them  
19 which got different names. One of them not germane to our  
20 discussions today is interferon alpha. But then came  
21 interferon beta. And as scientists learned more about this  
22 material, they became interested in using it to treat viral  
23 infections and cancer and that interest grew and grew.

24 By the 1970s that interest had reached almost a  
25 fever pitch. There was a belief that interferons would be to

1 viruses, what antibiotics had been to bacterial diseases. That  
2 this had the potential to be a miracle drug that would  
3 transform human medicine and protect human beings against  
4 viruses like influenza in the same way that Flemings' discovery  
5 of antibiotics had transformed medicine with respect to  
6 bacterial diseases.

7 Now, the problem with that was that the only  
8 source for interferon beta was gathering it from human cells.  
9 And the natural product is not made by healthy cells. It's  
10 only produced when the cell is attacked by something like a  
11 virus.

12 So the only way to make this back in the 1970s was  
13 to collect human cells, samples they might get from hospitals,  
14 grow them up in some sort of vessel, challenge them, meaning  
15 putting in some kind of virus, causing the cells to produce  
16 this interferon as they naturally would, and then trying to  
17 collect and purify that.

18 And the problem with that was that it was very  
19 difficult to collect and purify and the material was produced  
20 by the cells in tiny, tiny amounts. So that all you ended up  
21 with was this material of tremendous medical interest but  
22 available only in minuscule quantities at huge cost.

23 And just to drive that home, your Honor, to  
24 illustrate this, I don't know if your Honor will remember this  
25 magazine. It's sort of a trip down memory lane. This



1 particular, this one is the cover of it from July of 1979. And  
2 it had an article about interferon. And here is the teaser on  
3 the cover, Interferon, Miracle Cure at \$22 billion per pound.  
4 That's a very graphic illustration of what was going on, that  
5 it was impossible to get this material in any form or quantity  
6 in which it could really be used.

7 In fact, it wasn't even possible to get enough for  
8 full scale clinical trials. There had been some small clinical  
9 trials done which were considered very promising. But, no one  
10 could find a way to gather enough of this to unleash its  
11 perceived medical promise.

12 And you might say, well, why couldn't they just  
13 make it. The world is full, certainly New Jersey is full of  
14 chemical plants making drugs. What is the problem with simply  
15 manufacturing this in the quantities in which the medical  
16 profession would have wanted it? The problem is this, that  
17 interferon isn't like say an aspirin or isn't like the drug  
18 Lipitor. Those would be called small molecules. This is a  
19 protein. And it's very big and very complicated and very, very  
20 difficult to make.

21 Let me just explain that a little bit more.  
22 What's a protein? Well, proteins are the things that make all  
23 living organisms work. Essentially in any living organism,  
24 whether it's a bacteria, a plant, an animal or one of us,  
25 what's going on in the cells of that organism are proteins are

1 at work engaged in just about every process that goes on in the  
2 organism. Every cell contains thousands of them and they are  
3 the things that makes the cell run.

4 In many functions, and the Court might have, I am  
5 sure, have heard some of them enzymes, hormones, antibiotics,  
6 these are examples of proteins, and we should look here at what  
7 a protein is structurally.

8 Proteins are made up of amino acids. There are 20  
9 different amino acids that can be used to make proteins. The  
10 classic way to represent the structural protein at its most  
11 basic level -- and I stress most basic because there are, and I  
12 think we will hear perhaps from the defendants some more about  
13 other aspects of this structure -- the first order, the first  
14 level of this is the protein is a string of amino acids joined  
15 together. And they are sometimes represented, as we have here,  
16 like a string of pop it beads.

17 Each bead is an amino acid, and there are 20  
18 different amino acids that could be used. So we could have 20  
19 different colors of bead.

20 And a word that is sometimes used synonymously  
21 with protein is polypeptide. There is a potential dispute in  
22 this case, or perhaps there is a real dispute that I don't know  
23 about, the relationship between protein and polypeptide. For  
24 purposes of this tutorial, I am going to try to avoid saying  
25 anything that might be provocative to my adversary.

1 THE COURT: Understood.

2 MR. GROOMBRIDGE: They are either the same or they  
3 are very close. And why they are called polypeptides, well the  
4 bonds that join the beads together, if we are going to follow  
5 that metaphor, are called chemical, are called peptide bonds.  
6 Because there's a lot of them, it's a polypeptide.

7 Now, I have depicted this as a string. And  
8 certainly the basic structure is one joined to the next to the  
9 next to the next in a line. But, one of the things that is  
10 very important to understand is that in its natural forms in a  
11 cell the protein doesn't exist like that. It is curled up or  
12 conformed into a very complex shape. And its shape is very,  
13 very important because it's the shape of the protein that  
14 enables it to do its job. If the shape is wrong, it won't  
15 work.

16 In fact, your Honor, many genetic diseases are  
17 caused because a particular protein has some mistake in it that  
18 makes it misfold and then it won't work and then that can have  
19 traumatic and very bad consequences for the individual  
20 involved.

21 Now, interferon beta is composed, it turns out, of  
22 166 amino acids joined together. So, if we represented it as a  
23 string of beads, it would be 166 beads.

24 This is a standard model. We didn't create this.  
25 We took this from the internet. This is what is called a space

1 filling model. It shows you that the purpose of this, your  
2 Honor, was to give some idea of the complexity of the  
3 structure. This is the reason why, even back in the 1970s, it  
4 was not possible simply to go out and manufacture it, because  
5 it is an enormously complicated structure. And in fact at that  
6 time the structure in the sense of the order of what those  
7 amino acids were and what order they were in was unknown.

8 Even had it been known, there would have been no  
9 means to make it in a chemical fashion. The only way that  
10 proteins could be made meaningfully at that time was by  
11 harnessing the mechanisms of cells to do the work for you.

12 And so if we now turn to claim one, what I would  
13 like to do is just walk through the claim and talk about some  
14 of the concepts here, again not for purposes of argument, but  
15 simply to try to put them in the hierarchy of ideas that  
16 matters for the purposes of this claim.

17 We begin with a method for immunomodulation here.  
18 The method for immunomodulation involves treating a patient,  
19 administering to a patient a therapeutically effective amount  
20 of the composition. And then the composition has to include a  
21 recombinant polypeptide produced by a non human host,  
22 transformed by a recombinant DNA molecule.

23 So what that's telling us is we are going to make  
24 a protein that either is beta interferon or is close enough  
25 that it will have the right kind of activity, and then we are

1           going to give it to a patient.

2                       Now, the Court, adequately, I think quite  
3           concisely captured the dispute here. And I think I don't need  
4           to dwell on this. The question, interesting in this case, the  
5           dispute is not so much of what does "transformed by" mean, what  
6           does "produced by" mean, but it's really over who has to do the  
7           transforming and who has to do the producing and when.

8                       And ultimately the question is does this have to  
9           be done by the same person who administers the drug, or does it  
10          have to be done, or can it be done by someone else.

11                      THE COURT: This is a question for everyone and  
12          you can certainly address it as it comes up, but my question at  
13          this point is to what extent there is actual agreement on the  
14          meaning of the words at issue versus the scope. Because we  
15          have gone through a significant amount of briefing and the  
16          emphasis has changed through the briefs as to what the issue  
17          is.

18                      MR. GROOMBRIDGE: Exactly, your Honor. I believe  
19          that at this point there is no dispute, certainly no meaningful  
20          dispute, over the meaning of "produced by" and the meaning of  
21          "transformed by". I think this is perhaps a rather unusual  
22          claim construction dispute where we are not talking about what  
23          which it is, the two sides agree. But they disagree about the  
24          legal consequences of this. And your Honor is entirely correct  
25          that the issues evolved through the briefing.

1           One point I would like to make here is at the  
2           beginning of the briefing, there was some to and fro about  
3           whether this was even a proper claim construction issue. The  
4           defendant said it was, Biogen said it wasn't.

5           We have come to the point where the issue is fully  
6           joined. And we would not want the Court to invest time in  
7           deciding that issue. Biogen is entirely comfortable having  
8           that decided now. We might as well go ahead and get that issue  
9           resolved, regardless of whether it falls into the proper bucket  
10          of claim construction or otherwise.

11          THE COURT: I am going to refer to that here, just  
12          so everyone understands, as the scope issue versus the term of  
13          the meaning issue. So in terms of the scope issue, it appears  
14          that all sides are looking for a decision on that.

15          Is that correct? Yes?

16          MR. BERL: Yes, your Honor.

17          THE COURT: All right.

18          MR. GROOMBRIDGE: So, we will just go through  
19          this and talk about what are the key elements here.

20          So this patent emerges from this background of how  
21          do we unlock the tremendous medical potential, that tantalizing  
22          promise of here is a compound that has the potential to be  
23          tremendously beneficial in medicine but yet we can't use it  
24          because we don't know what it is. We can't make it. And we  
25          don't know how it works in the body.

1                   And so what the patent is saying here is first  
2                   this is a method for immunomodulation. That's one of the ways  
3                   that we could use it. Immunomodulation simply means that it  
4                   either stimulates or suppresses the immune system. It's  
5                   nothing more than that. It could move it up or move it down.  
6                   And that's what the patent says in this quotation.

7                   The next thing, I will dwell a little more on  
8                   this, is DNA, because the transformation piece of this involves  
9                   necessarily an understanding of DNA. So let me just run  
10                  through that hopefully in a way that will not try anyone's  
11                  patience.

12                 THE COURT: No, it's fine.

13                 MR. GROOMBRIDGE: So what is DNA? Well, we see  
14                 there a perhaps crude representation of a cell and we will come  
15                 back to that. The cell is, in essence, a sac. It has an outer  
16                 wall, a membrane that contains a liquid phase cytoplasm,  
17                 although I would think of it as something like soup.

18                 And then in this particular one we see a nucleus  
19                 with chromosomes which we would find in most human cells, but  
20                 not all of them, for example. And in the chromosomes are  
21                 structures that consist very largely of DNA. And if we were to  
22                 unwind a chromosome, what we would see is a tremendously long  
23                 strand of DNA.

24                 And DNA in its typical form consists of these two  
25                 strands wound around one another, the famous double helix. If

1 we unwind that to make it easier, the structure, what we see is  
2 something like a ladder. And the ladder is made up, in turn,  
3 of a series of these U building blocks or units called  
4 nucleotides. And we see one represented graphically there.

5 The phosphate part of that is the sides of the  
6 ladder. And the other pieces, the sugar and the base, are the  
7 rungs of the ladder. And it is the base here that contains the  
8 information content of DNA. Because the significance of DNA is  
9 that it is --

10 THE COURT: I'm sorry, where is the base?

11 MR. GROOMBRIDGE: The base, your Honor, is right  
12 here. And --

13 THE COURT: Where would that correspond to the  
14 pictorial?

15 MR. GROOMBRIDGE: There is four of them and here  
16 is how they --

17 THE COURT: Oh, I see.

18 MR. GROOMBRIDGE: That's where they fit in. So  
19 we go back, what we see on one side of the ladder we have got  
20 the side of it, then we have got kind of half a rung here. And  
21 what's going to happen is on the other side I am going to get  
22 the half rung. And it turns out that of these four bases, it's  
23 easier just to call A and T and G and C, A and T like to join  
24 together, and C and G like to together. And that is why the  
25 two strands attach almost like two parts of a zipper. And in



1           their natural form they will join together.

2                       And then once they get to be a long enough piece,  
3           they will twist around and form that helix. And it is the  
4           information content, the blueprint, many people refer to DNA as  
5           the blueprint for living things, what it is that that order of  
6           A's and B's and C's and T's is what contains the instructions  
7           for making proteins and polypeptides that will then go to work  
8           in the body.

9                       The patent talks about this and it describes it  
10          more concisely and more eloquently than I have done in the  
11          definitions piece of the patents there. And I believe that  
12          there is no dispute about this, but it's right in there. And  
13          we see there a definition, DNA sequence.

14                      Now, polypeptides, we already looked at but just  
15          to review the bidding, it's a string of amino acids that are  
16          joined together by peptide bonds. And in its natural form they  
17          kind of coil up into a shape. And the patent again describes  
18          this, it says polypeptide is a linear array of amino acids  
19          connected by peptide bonds. Linear array, I just want to call  
20          out that linear means that just like a string of beads, it has  
21          to be one single strand, not branches. But, it's like a string  
22          of beads, if I would drop it in my pocket and coil it up and it  
23          would still be linear within the meaning of this definition.

24                      So, how do I get from DNA to polypeptides. If DNA  
25          is the blueprint, what is the mechanism for putting that

1 blueprint into practice? And that involves an intermediate  
2 step.

3 The best way I could describe this is to say that  
4 DNA is like the file copy of instructions. And in the organism  
5 we want to safeguard that file copy because we never know when  
6 we might want to go back to it and make another protein from  
7 this repository of information in DNA.

8 So, in order to accomplish that, what we are going  
9 to do is make a working copy that we will actually use to  
10 produce the proteins. And that working copy is RNA, a closely  
11 related compound. We say mRNA is for messenger. And we will  
12 see in a minute why it's called a messenger.

13 We take the information from the DNA, we transfer  
14 it into RNA, and then that information is in turn going to be  
15 transferred into the sequence of amino acids that make up the  
16 polypeptide. And that, those two processes, DNA to RNA and RNA  
17 to protein or polypeptide are called respectively transcription  
18 and translation. So we can put those in there.

19 I have a terrible time remembering which is which.  
20 And the only way I managed to do it is they are alphabetical,  
21 transcription comes before translation.

22 Now, when we take the two together, the whole  
23 process, that is called expression. So again we can label  
24 this, the whole process of going from DNA to a polypeptide is  
25 called expression, sometimes referred to as a gene expression.

1                   How does that happen? Well, let's go back in to  
2                   look at our cell again here with the DNA and the chromosomes  
3                   and the nucleus. We can zoom in there and there is some DNA.  
4                   Let's assume the cell has decided, for whatever reason, it  
5                   would like to go to that file copy and say let's make a protein  
6                   according to the instructions that are contained in this  
7                   particular piece of DNA.

8                   And the way that that's going to happen, I won't  
9                   dwell too much on the details, but we will separate it out into  
10                  a single strand, a particular enzyme, a specific special  
11                  purpose protein will come along, and that enzyme will assemble  
12                  RNA so that it replicates the information content of the DNA.  
13                  It will take similar building blocks. And they are essentially  
14                  identical except that we, instead of the T, we have a U here.  
15                  But, for practical purposes, that doesn't matter for this case  
16                  I believe.

17                  And it will make this RNA that matches and  
18                  includes information comparable to the DNA. And then the RNA  
19                  will go on out, it will leave the nucleus and it will go out  
20                  into the cytoplasm. That's why it's called the messenger RNA.  
21                  It's carrying a message of information out into the cytoplasm,  
22                  the soup that surrounds the nucleus. And out in the cytoplasm  
23                  it's going to encounter something called a ribosome. And a  
24                  ribosome is basically a factory for making polypeptides and  
25                  proteins.

1                   Here is how that works. The ribosome will  
2                   essentially lockout -- and I apologize to the eminent  
3                   scientists present in the room because I am going to murder  
4                   some of these concepts -- but it essentially will lock onto  
5                   this strand of RNA. It possesses the ability to read that  
6                   information and correlate --

7                   THE COURT: I'm sorry, what locks onto the strand  
8                   of the RNA?

9                   MR. GROOMBRIDGE: This, the structure called the  
10                  ribosome which is a sort of complex assemblage of protein and  
11                  it also includes some DNA of its own. And its job within the  
12                  cell is as a factory to make proteins. And what it can do is  
13                  it can take the instructions from the sequence of nucleotides  
14                  and correlate that to the amino acids that it wants to join  
15                  together in the right order to make any given protein.

16                 So, understanding how that process works, we have  
17                 one more concept I want to introduce which is a codon. This is  
18                 nothing more than a group of three of those ladders. The  
19                 reason we call it a codon is the three ladders taken together  
20                 are a code for one of the 20 amino acids. And so what the  
21                 ribosome -- and that again is defined in the patent -- the way  
22                 the ribosome works and the codon is produced is floating around  
23                 in this soup are building blocks to make proteins.

24                 And you might say, I thought to myself well that  
25                 seems very convenient. But the cell is actually very effective

1 at recycling. So proteins are not only being created, they are  
2 being mucked apart as well. And the constituents can be  
3 available to be reassembled if needed.

4 So, floating around out in the cytoplasm, we have  
5 the requisite building blocks. Amino acids that are in essence  
6 tagged with, and it's what we call an anti code on something  
7 that will enable the ribosome to collect the right amino acid  
8 and put it together in the right order. So those ones will  
9 match up right there.

10 And then the ribosome will move along and it will  
11 read the next block of three and it will say ah ha, that's a  
12 different amino acid. Let me find one of those and gradually  
13 it will move forward.

14 As it moves along it will join them together. It  
15 will discard the pieces of transfer RNA that it no longer needs  
16 and it will build up a protein like this. And this is how  
17 cells work. It's how, in any organisms, how those proteins are  
18 created. And as we see as this process continues -- let me see  
19 if I can speed this up a little here -- that what begins to  
20 happen is the protein -- as it leaves the ribosome, this native  
21 protein will already begin to fold up in a double shape.

22 So that's the mechanism by which we go from DNA to  
23 RNA to proteins and we end up with the right sequence of amino  
24 acids.

25 Now, the patent includes the sequence for beta

1       interferon, and this is Figure 4 of the patent. Let's just  
2       blow that up and call out the beginning of those 166 amino  
3       acids is right there. We see the first three. And what we  
4       have got is the first three happen to be an amino acid called  
5       methionine which is abbreviated MET, then serine which is  
6       abbreviated SER and tyrosine abbreviated TYR.

7               And underneath them we see that group of three DNA  
8       basis that is the code for each one of them. The patent then  
9       has the whole sequence for all 166. There is the last part of  
10      it. And if we follow that, what we would find at the end of  
11      all of this is that if we can get that DNA into a cell and have  
12      the cell or the cellular machinery, the ribosomes, do their  
13      job, this is what we would get.

14             Now, the cell is what's going to be referred to as  
15      a non human host. And hosts here just means a cell --

16             THE COURT: Go right ahead.

17             MR. GROOMBRIDGE: No problem. The host just  
18      means a cell whose machinery we are going to harness to make  
19      these proteins and the patent gives a number of examples. Most  
20      commonly they could be bacteria such as e-coli, a name that's  
21      mostly known to us in less beneficial contexts, or they could  
22      be animal cells. The patent gives examples of, for example,  
23      monkey cells could be used for this.

24             The patent makes the point, the scientific point,  
25      that there is no reason that they should not be human. The

1 reason the patent says non human host cell is a legal, not a  
2 scientific reason. Because we have decided as a society that  
3 it is a good idea not to have patents of humans. It's called  
4 bits of human. That's why it says non human host cell. But  
5 essentially this could be any kind of cell that we can harness  
6 to make the desired protein for us.

7 And the way we would harness it to do that is  
8 through this transformation and the recombinant DNA molecule.  
9 I will explain those two together because they can vehemently  
10 fall into one piece.

11 Here we see a strand of DNA, the double helix.  
12 So, some part of this --

13 THE COURT: Let's just, let me ask you a  
14 question. In terms of this strand of DNA or the sequence of  
15 amino acids that we are dealing with here, is that a sequence  
16 that was just discovered? Had it been previously discovered?  
17 What is the history of that?

18 MR. GROOMBRIDGE: So, the history of this, your  
19 Honor, is the sequence of those 166 amino acids was not known.  
20 Now, one of the frankly major facts in this case is that there  
21 was several teams of scientists around the world racing with  
22 one another to try to decipher that sequence. And who did it  
23 first is, I suspect, going to be an issue in this case. And I  
24 will talk a little bit more about that and I will be very  
25 surprised if we don't hear about it from my learned colleagues

1           here.

2                       But, putting aside the work of these groups of  
3           scientists who are in this race, the rest of science did not  
4           know what that sequence was. And one of the things that  
5           actually was important was a group of scientists, completely  
6           unrelated to these, in the Fall of 1979, published a sequence  
7           that was the first 13 out of the 166. They hadn't been able to  
8           get past that.

9                       THE COURT:     When was that?

10                      MR. GROOMBRIDGE:   That was, it was first made  
11           known to the world in a conference in New York in October of  
12           1979. And then it was subsequently published in a leading  
13           scientific journal in January of 1980. And that became a fact  
14           that helped the folks who were in the race that actually took  
15           that piece of information and said ah ha --

16                      THE COURT:   It's a point of departure.

17                      MR. GROOMBRIDGE:   It's a point of departure. So,  
18           now recombinant DNA, what recombinant means is that we have  
19           recombined it. Before we can recombine it, we have to  
20           uncombine it. So, the details of this are certainly beyond  
21           anything that we need to delve into, although there is a great  
22           deal of detail should we wish to.

23                      But, we can take a piece of DNA and we can cut it.  
24           One of the tools that was available in the toolbox of the  
25           molecular biologist at that time was something called a



1 restriction enzyme which is like a pair of molecular scissors.  
2 But, it doesn't cut the DNA anywhere. It only cuts in a  
3 particular place when it encounters a specific sequence. So it  
4 would be that this particular pair of scissors looks for  
5 C,C,G,A,T. And if it finds that sequence, it cuts.

6 And because I know that, I can use that to excise  
7 out, to snip out sections of DNA that I am subsequently going  
8 to work with. And that's what we have shown here. We have cut  
9 one out. And then what we would do with it in this  
10 transformation process, the next thing, the next concept that  
11 may be important is something called a plasmid. A plasmid is  
12 a, typically a circle with DNA, double stranded DNA that has  
13 the capability that it can be put into a cell. And when it's  
14 put into a cell, as that cell reproduces, the plasmid will  
15 reproduce and the progeny --

16 THE COURT: Is that the DNA running around in a  
17 circle?

18 MR. GROOMBRIDGE: That's the DNA running around  
19 in a circle joined up end to end. And this, the significance  
20 of this is this is a vehicle by which I can insert DNA into a  
21 cell. So that the transformation is the putting that DNA into  
22 the cell. The recombination is then this -- that's the  
23 definition of plasmid. I won't go on that.

24 If the Court sees in the patent these figures,  
25 circles, they are all plasmids. And the purpose of this is

1 just to say this is a particular one that they were working  
2 with. But, what I can do is, using those molecular scissors, I  
3 can cut the plasmid and then I can splice it into the piece of  
4 interest there. And now what I have got is a recombinant  
5 molecule because I have recombined this with a foreign piece of  
6 DNA, and that again is defined here.

7 And now that recombined piece is something that I  
8 can put into a cell. And that's the step of transforming in  
9 the language of this patent. And I introduced that into a non  
10 human host cell and now I have a transformed non human host  
11 cell. And if I do this in the right way -- the cell is a  
12 living organism.

13 If I treat the cell with appropriate care and  
14 affection and I feed it, it will multiply, just in exactly the  
15 same way that if I go home after I have been away and my kids  
16 are at home I may find cheese in the refrigerator on which  
17 cells have multiplied.

18 This one will multiply. And as it multiplies, it  
19 will, each copy, each descendent will have the same foreign  
20 piece of DNA in there. And so because bacteria in particular  
21 grow at a prodigious rate, this gives me a way to make vast  
22 quantities of these transformed cells.

23 Now, again, if I treat them with appropriate care  
24 and attention under the right conditions, and that could be non  
25 trivial, but under the right conditions I can persuade the cell

1 to go through that process of DNA to RNA to protein and I can  
2 harness its machinery, its ribosomes and such like to make  
3 protein out starting with this foreign piece of DNA that was  
4 never in the cell, but it's the one thing I am interested in  
5 that I have transformed it with. And it will produce the  
6 protein that I am looking for.

7 And because I can grow vast quantities of these  
8 cells, I can now produce this protein in far greater amounts.  
9 Still a difficult process with a lot of steps to it, but I can  
10 get it in vastly greater amounts than would have been possible.  
11 And to come back full circle then what I would do with that --

12 THE COURT: Now, is that something that you would  
13 have to do from time to time? Or based on that process being  
14 performed once, would there be a sufficient result?

15 MR. GROOMBRIDGE: So typically, your Honor, what  
16 you would do is the process of manipulating and recombining the  
17 DNA, you would do once. And, in fact, the Food and Drug -- if  
18 you are going to sell this as a drug, the Food and Drug  
19 Administration if you started doing it again would say we have  
20 to go back to step one and get a new approval.

21 So typically what you would do is you would  
22 manipulate this and you might have a number of experiments.  
23 But, when you found one that you liked, what you would do is  
24 then you would guard that jealously and that would be -- and  
25 you would create a cell line with these transformed cells. And

1 the way you would manufacture the protein is you would grow up  
2 in an industrial reactor, batches of this. But they would all  
3 be genetically identical copies of that original one that you  
4 had transformed. And this is what is shown here is you would  
5 grow them up --

6 THE COURT: So it contemplates doing the  
7 transformation only once.

8 MR. GROOMBRIDGE: That's what would be  
9 contemplated by the nature of this invention. You would do the  
10 transformation. You would then have this organism that you can  
11 use as your source. And when you would then cultivate that  
12 into living things, you would make sure you don't want it to  
13 die, and as you want to produce the protein, you could grow up  
14 batches of it, persuade them to produce the protein, and then  
15 purify that. Depending on what kind of cells you use, they may  
16 secrete the protein, or you may have to destroy the cell to get  
17 it out.

18 But, they will produce it and you can take it and  
19 purify it because you have it in a quantity that far surpasses  
20 anything that you would get from the natural source. And  
21 ultimately that's what we are looking for at the end of the  
22 day, to harness the medical potential of this. We want to be  
23 able to get this stuff in a pure form. And that's what the  
24 goal of the patent was.

25 So to come back full circle, we take that then

1       that we have produced in this fashion and administer that to a  
2       patient. And that is the subject matter of the patent here  
3       that it was directed to, is how do we deliver on these glimmers  
4       of hope that, you know, these frustratingly great glimpses that  
5       we have of a radical new drug that we just can't get to. And  
6       the answer is well, here is a technology that will enable us to  
7       do that.

8               Now, this is merely the backdrop to it. Of course  
9       the issues about well, is there a difference in terms of patent  
10      law between the process and the medical use of it. Issues, I  
11      would say, for the argument piece of this. But this is simply  
12      the backdrop from which this invention emerged. And that  
13      concludes our portion of the tutorial.

14             THE COURT:   Excellent. Thank you very much.  
15      Thank you.

16             Who would like to go next?

17             MR. BARSKY:   Thank you, your Honor. Wayne Barsky  
18      again.

19             THE COURT:   Thank you.

20             MR. BARSKY:   First I just want to invite the  
21      Court at anytime, as it has already, to interrupt and ask any  
22      questions. I would much rather direct my comments to matters  
23      of interest.

24             THE COURT:   That sounds fine.

25             MR. BARSKY:   So the Court can go through a canned

1 presentation which will, in many ways, replicate some of the  
2 materials that the Court just heard from my friend Mr.  
3 Groombridge.

4 THE COURT: I am sure we will have a very  
5 detailed legal argument later too. So I understand that this  
6 is the more quiet section of today's presentation.

7 MR. BARSKY: I am guessing that's right, your  
8 Honor.

9 I am going to cover three principle subjects. And  
10 those are really the state of the art in 1980 at the time that  
11 the very first application was filed by Dr. Fiers in the U.K.

12 The U.S. application was actually filed a year  
13 later in 1981 in the United States. But, the very first one  
14 was filed in the U.K. in April of 1980. So we are going to  
15 talk about the state of the art at that particular time. And  
16 you will see that many of the things that I have to say will  
17 cover some ground that has been covered --

18 THE COURT: Which is fine.

19 MR. GROOMBRIDGE: -- already. And I am only going  
20 to cover the two issues which I think your Honor has already  
21 probably noticed are going to be the focus of the claim  
22 construction portion of our discussion that will come later.  
23 And that is the process of transformation and the process of  
24 production. These are the claim terms that your Honor  
25 identified right at the beginning of our hearing.

1 THE COURT: And I have read all of your briefing  
2 and I am very familiar at this point with it. But I appreciate  
3 whatever light you can shed on that.

4 MR. BARSKY: Great, because that's at the  
5 epicenter of the ultimate dispute here. So I am going to focus  
6 on those two things.

7 But I thought I would start by giving a little bit  
8 more of a response to a couple of the questions that your Honor  
9 asked. The first was the question of scope. And certainly  
10 it's correct that it sounds like we all want the Court to  
11 address the issue of scope. That's really what is at issue  
12 here. Because we do agree fundamentally on what it means to  
13 transform a host cell with a recombinant polypeptide and to  
14 produce a recombinant polypeptide from a transformed cell at  
15 bottom.

16 THE COURT: When we get to the argument section,  
17 I won't waste the time now doing this, but I would like to more  
18 further explore the specific agreement you might have as to the  
19 exact terms at issue.

20 MR. BARSKY: Sure. We certainly --

21 THE COURT: Again I understand the overarching  
22 issue of what I am determining to be the scope. And then we  
23 can get into some of the existing case law and how the parties  
24 rely upon that.

25 MR. BARSKY: Certainly, your Honor.

1                   And in Biogen's slides, and it's in slide 18 in  
2                   the book that was provided to you, we suggest on this issue of  
3                   scope, the question was posed in that first bullet point, and I  
4                   will pause so you can get it --

5                   THE COURT:     Go ahead.

6                   MR. BARSKY:    The question was posed by Biogen  
7                   that the dispute is, are "produced by" and "transformed by"  
8                   steps in the claimed methods.    And your Honor identified this  
9                   as the scope issue.

10                  And then the second bullet point talks about what  
11                  Mr. Groombridge described as well, if so, who needs to do it.  
12                  Who needs to perform those steps, and when.    And we are  
13                  obviously going to be addressing those issues.

14                  So the way we would frame the issue, and we are  
15                  going to talk about this a lot more later so I will be very  
16                  quick before I move on, is that whether or not these are  
17                  processes that are required by the claim and required to be  
18                  performed in order to practice the claim.   That's one aspect of  
19                  the scope issue.

20                  The second aspect of the scope issue, and this  
21                  will be addressed to, particularly by Mr. Berl during his  
22                  presentation later today, is the issue of, that Mr. Groombridge  
23                  also raised, which is who needs to do it and when and what does  
24                  the case law say about that.   So that's the first point I  
25                  wanted to make.



1           The second point or question that your Honor  
2           raised was about the history of the DNA sequence for interferon  
3           beta. And you were told by Mr. Groombridge correctly that the  
4           first 13 amino acids were identified in October of 1979, and  
5           that those and that that sequence was published in January of  
6           1980.

7           What I would like to do is just take two minutes  
8           to just finish the story and give the Court the punch line  
9           here. The punch line is as follows: A researcher from Japan  
10          by the name of Taniguchi who was affiliated with an  
11          organization called Sugano was the individual who first  
12          identified the complete and accurate DNA sequence interferon  
13          beta.

14          He did that by the end of February of 1980 and he  
15          circulated that sequence to hundreds of molecular biologists  
16          throughout the world in the period of late March and early  
17          April of 1980. And now I need to slightly correct something I  
18          just said. I said that he, Dr. Taniguchi did it. Actually Dr.  
19          Taniguchi provided his sequence to a scientist named Weissman  
20          who is a co-founder of Biogen and Dr. Weissman circulated that  
21          sequence to hundreds of scientists throughout the world during  
22          that period of time.

23          Mr. Groombridge raised the point that we might  
24          dispute the question of or we might have something to say about  
25          who was the first to identify the complete DNA sequence for

1           interferon beta. Actually, your Honor, the federal Circuit has  
2           already resolved that issue. Because there was a proceeding  
3           called an interference among three scientists named Fiers, who  
4           is the inventor of the '755 patent; a scientist in Israel named  
5           Revel, R-e-v-e-l, and Sugano, affiliated with this Dr.  
6           Taniguchi. And there was a contest in the United States Patent  
7           Office as to who had the priority of invention with respect to  
8           the DNA sequence for interferon beta.

9                     That proceeding was resolved in favor of Sugano  
10           based on the work of Taniguchi, and the federal Circuit  
11           affirmed that decision in 1993. So there is actually a federal  
12           Circuit decision that resolves the very issue that your Honor  
13           raised earlier today, namely this priority of invention.

14                    THE COURT: I am sorry you are saying when was  
15           that? What year was that?

16                    MR. BARSKY: 1993. Actually that decision, I  
17           believe, is actually quoted in one of the six briefs that was  
18           filed in this case.

19                    THE COURT: I believe you're right.

20                    MR. BARSKY: All right. So I promised the Court  
21           that I would cover these three subjects. I am going to do it  
22           quickly. My prepared remarks are about 15 minutes, your Honor.  
23           And then I am going to turn it over to Bayer and Novartis and  
24           we may hear from Dr. Ravetch on some of these other issues as  
25           well.

1 THE COURT: Sounds fine.

2 MR. BARSKY: I know that was a long warmup but  
3 now I am going to start my presentation.

4 THE COURT: All right. Go right ahead.

5 MR. BARSKY: First, you have already heard from  
6 Mr. Groombridge that interferon, the interferons as a class  
7 were discovered in the 1950s.

8 One interesting fact that I don't believe Mr.  
9 Groombridge covered, but I expect he will agree with me on, is  
10 that the interferons are proteins that have the function of  
11 carrying signals to other cells. And the signal that  
12 interferon carries to other cells is that there is the  
13 potential or looming invasion of a virus or some other pathogen  
14 such as a cancerous cell. This particular cell has a name, or  
15 excuse me, this particular protein has a name. It's technical.  
16 It's called cytokine.

17 But, the point here is that you can think of  
18 interferons generally and interferon beta in particular as kind  
19 of the Paul Revere of proteins. Because when the cells are  
20 exposed to a viral invasion, the cells are induced to express  
21 or produce interferon beta which then carries the message to  
22 surrounding cells that they should expect and prepare for and  
23 guard against a viral invasion or some other foreign danger to  
24 the cell.

25 By 1980, certainly at the time that the

1 application was filed here, interferon beta had been identified  
2 as an important and promising protein within the interferon  
3 family. And as the patent makes clear, it had been extensively  
4 purified and characterized and the scientists were excited  
5 about the potential that interferon beta presented for  
6 controlling viruses and tumors. And this is all reflected in  
7 the text of the '755 patent. I believe it's in the section  
8 entitled Background for some of the invention.

9 You saw the Omni Magazine cover from 1979. Well,  
10 here is a Time Magazine cover from March of 1980 to give you an  
11 idea just how much the interferons had, by this time, made an  
12 impression on the public consciousness.

13 And, once again, what was being experimented with  
14 at the time, and even used in small scale clinical studies, was  
15 something that you will see in the patent is referred to and  
16 you will hear during the course of today's presentation, it was  
17 native interferon beta. It's sometimes called in the  
18 literature, I think in the patent as well, authentic interferon  
19 beta. And this is to signify that this is the interferon beta  
20 that is produced by the cells, by human cells naturally. It's  
21 the naturally occurring interferon beta that's produced when  
22 those cells are facing that viral challenge.

23 THE COURT: How effective is this on cancer at  
24 this point? I know we are dealing with this as a Multiple  
25 Sclerosis drug, but just out of curiosity, what has resulted in

1 the treatment of cancer through the application of this?

2 MR. BARSKY: Interestingly enough, your Honor, I  
3 think it's fair to say as follows: The interferons as a class,  
4 and interferon beta in particular, did not live up to this  
5 enormous promise and hope that the scientific and the medical  
6 communities had in 1980. And I would really just prefer to  
7 leave it to some of the distinguished scientists who are here  
8 today to talk about specific applications for cancer.

9 THE COURT: That's fine.

10 MR. BARSKY: But, it is certainly not a treatment  
11 that is approved in the United States by the FDA, for example.  
12 And I am not aware of any widespread use of the interferon beta  
13 to treat tumors or cancer, despite the fact that it did show  
14 that it had --

15 THE COURT: No problem. It showed some promise  
16 but it hasn't really fleshed out.

17 MR. BARSKY: That's my understanding, your Honor.

18 THE COURT: Okay.

19 MR. BARSKY: All right. So, the problem as you  
20 already heard and as is reflected in the patent is that native  
21 interferon beta, authentic, the natural, naturally-produced  
22 interferon beta is only produced in infinitesimal amounts in  
23 some cells of the body and in some circumstances.

24 So, as Mr. Groombridge told you earlier, and  
25 absolutely correctly, there was precious little of it to go

1        around. And as a result, there was an enormous interest in  
2        finding a way to create greater availability of quantities of  
3        interferon beta.

4                And at the time the principle technology that  
5        scientists and the medical community looked to to solve this  
6        problem of limited supply was recombinant DNA technology, and  
7        your Honor has heard a lot about that and read a lot about that  
8        already.

9                So I will just summarize it as a pretty neat  
10       technology that allows you to take some human DNA that codes  
11       for a protein of interest and put it into a non human cell such  
12       as a bacterium, e-coli you will hear discussed later today,  
13       take that human DNA that you are interested in, put it into a  
14       bacterium and then use that bacterium, that population of  
15       cells, to produce the protein that you are interested in.

16               Perhaps, I'm sorry, perhaps that protein of  
17       interest is insulin. By 1978 we had proof of concept -- excuse  
18       me, by 1977 we had prove of concept for the utility of  
19       recombinant DNA technology because it had been used to produce  
20       an important protein called somatostatin in 1977, again in a  
21       bacteria e-coli.

22               In 1978, we were able to use recombinant DNA  
23       technology to produce insulin in bacteria. Insulin is a  
24       protein that is necessary to all human life and it was in  
25       terribly short supply. The medical community was actually

1 looking to animals and to pancreases of animals for to obtain  
2 insulin, and there was only a limited supply.

3 So, all three of these proteins, insulin,  
4 somatostatin, and human growth hormone, by 1980 had been  
5 produced in bacteria and e-coli. It turns out, your Honor,  
6 that e-coli is a very friendly bacteria for cell biologists.  
7 Because as we will hear in a little while, it has this ability  
8 to simply take that human gene, absorb it into its own genome,  
9 its own DNA, and then produce it as if it were -- as if it was  
10 its own DNA. And that is why, particularly in the early days  
11 but even today, e-coli as a bacteria is so popular.

12 As a result of the fact that we had this terrible  
13 shortage of interferon beta on the one hand, and this very  
14 promising technology recombinant DNA technology that had been  
15 proven to produce human proteins on the other, you had this  
16 worldwide race among scientists to first clone and then  
17 express -- and I am going to define both of those terms in a  
18 moment -- but to clone and then to express interferon beta in  
19 bacteria.

20 And at that time it was bacteria, although there  
21 are other non human host cells that have obviously been used to  
22 produce human proteins.

23 Those scientists were located all around the  
24 world. Dr. Goeddel is not in New Mexico. He was actually  
25 somewhere over here in San Francisco, it just looks like that

1 on our map. Sugano and Taniguchi were located actually in  
2 Japan. But, Dr. Taniguchi was actually working in Harvard  
3 beginning in January of 1980. And Professor Michelle Revel was  
4 at the Weissman Institute of Science in Israel. Dr. Fiers was  
5 at the University of Gent in Belgium.

6 And your Honor may remember I mentioned this  
7 three-way interference among scientists who were competing to  
8 establish priority to the DNA sequence of interferon beta.  
9 That was Dr. Fiers who was affiliated with Biogen; Professor  
10 Revel from the Weissmann Institute, and Sugano located in Japan  
11 and working through Taniguchi who was then at Harvard. So, we  
12 have this worldwide race to clone and express interferon beta.

13 This brings me, your Honor, to the portion of my  
14 presentation about transformation, because it's one of the key  
15 processes that is at the core of our discussion here. What is  
16 transformation? It is the first or it is one of two steps,  
17 let's say, in the production of our recombinant protein.

18 What one does, at a very high level, is take  
19 genetic material from a human being, from human cells, you  
20 isolate the human gene that you are interested in, perhaps it  
21 is the gene coding for insulin or gene coding for interferon  
22 beta, whatever it may be, and then you cause that genetic  
23 material to be absorbed by or taken up by another organism, in  
24 this case this attractive depiction of a bacterium. Now, at a  
25 high level is this notion of transformation that you are going



1 to hear about.

2 The second process you are going to hear about is  
3 production. So, you start with that bacteria that has been  
4 transformed with the human gene that you are interested in,  
5 and then you grow, as Mr. Groombridge said, you feed that  
6 transformed cell, you create a colony of cells that all have a  
7 copy of that human gene that you are interested in.

8 And then if you have done your job right, if you  
9 have built in the proper switches to turn on the expression or  
10 the production of that protein, and you will hear about that  
11 from Dr. Ravetch in a moment, if you are lucky at the end of  
12 the day you get a protein which can then be separated from this  
13 bacterial colony purified and then used to treat people for  
14 whatever condition it is you have isolated that protein.

15 THE COURT: Do you believe then the  
16 transformation occurs once as well as plaintiffs?

17 MR. BARSKY: Yes, it does. It only occurred  
18 once. And, in fact, just by way of background, I can't speak  
19 for Bayer, they will have to address this themselves, but  
20 certainly with respect to Serono and the transformation that  
21 allows Serono to today produce Rebif, that occurred many years  
22 ago and long before the patent.

23 THE COURT: What year did that occur?

24 MR. BARSKY: Pardon.

25 THE COURT: What year was that?

1 MR. BARSKY: If it's all right with your Honor, I  
2 will actually defer to Dr. DeLuca because he will know exactly  
3 when that occurred.

4 DR. DeLUCA: Transformation was made in about  
5 1981.

6 THE COURT: 1981. Thank you.

7 MR. BARSKY: So, let's drill down a little bit on  
8 these two processes of transformation and production.

9 I said before that this one of the first early  
10 steps is to isolate or clone the gene that you are interested  
11 in, the insulin, somatostatin, whatever it may be. What does  
12 that mean? It means you have to identify, out of this massive  
13 human genetic material, billions of nucleotides. Tens of  
14 thousands of genes, where the gene that you are interested in  
15 begins and where it ends.

16 And as you heard already, the basic building  
17 blocks, the nucleotides, the amino acids for the proteins, but  
18 the nucleotides are basically all the same. It's a defined  
19 set. And so to be able to isolate or clone that gene is this  
20 first step in this process of transforming a host cell.

21 So here we have shown that the isolation of the  
22 gene of interest, that gene that codes for the human protein is  
23 then placed in this circular piece of bacterial DNA and that  
24 has, it's called a plasmid. The human DNA is this darker  
25 section. And the rest of it is just this ring or circular

1 piece of self-replicating DNA. You then cause that plasmid to  
2 be taken up or absorbed, in this case, by bacterium. And by  
3 doing so you have now taken that human gene and placed it  
4 within the genome of the bacteria.

5 Your Honor is going to see in the patent, in the  
6 file history and perhaps here during the course of the  
7 discussion today, the use of the phrase heterologous to  
8 describe both the DNA itself, as well as the host. Here is  
9 what I mean by that. All heterologous really means is, in this  
10 context, is it's a different DNA.

11 And so when a DNA molecule, for example, that  
12 circular plasmid we looked at earlier, when a recombinant DNA  
13 molecule combines both bacterial DNA and human DNA, it is often  
14 referred to as a heterologous DNA molecule.

15 Now, we call this recombinant, your Honor --  
16 perhaps it's obvious but I will just state it -- we call it  
17 recombinant because what we have done is what have combined or  
18 recombined this human DNA with the bacterial DNA in this  
19 plasmid.

20 So you cause that to be taken up by the bacteria.  
21 And now once you have done so you have what is referred to also  
22 in the patent and the file history as a heterologous host,  
23 which is simply this notion that you have a host cell that is  
24 supporting both its own DNA, as well as this foreign DNA, in  
25 this case human DNA.

1                   Now, let's just drill down a little bit more on  
2                   production and then I will be done. We started, of course,  
3                   with that transformed host cell when we placed the plasmid  
4                   containing the human DNA into that bacteria. So you have that  
5                   transformed host cell. And we talked about growing it and  
6                   producing the, ultimately at the end of the day if you are  
7                   lucky and you have done your job right, producing the protein.

8                   Let me see if I can summarize in an extremely high  
9                   level what you heard from in greater detail from Mr.  
10                  Groombridge and which we will also hear from Dr. Ravetch, which  
11                  is that all polypeptides or proteins are essentially produced  
12                  with the following sequence: It's the, you go from DNA to MRNA  
13                  to polypeptide or protein. At least in recombinant DNA  
14                  technology that is the sequence that is followed.

15                 And so here what this is going to depict is the --  
16                 and I am going to stop this for one second if I can. So you  
17                 start with these bacteria. You have grown them. And if you  
18                 have done your job right, what happens is that that piece of  
19                 human DNA, just like the bacterial DNA, is going to be  
20                 transcribed into what's called this piece of MRNA called an  
21                 MRNA transcript. That MRNA transcript is then translated into  
22                 a polypeptide.

23                 Was that fast enough? Let me see if there is a  
24                 way I can stop this. But this is a high speed version of what  
25                 you saw the slower speed version of earlier.

1 But, to what we are seeing here is that this  
2 transcript, the mRNA transcript, what's missing is the ribosome  
3 that, among other things, is the ribosome that Mr. Groombridge  
4 pointed to earlier. But, this mRNA transcript is used to build  
5 the amino acids which link one to another in sequence and form  
6 a polypeptide.

7 Now, the very last -- and this is the obviously  
8 the animation of that occurring. In summary, you start with  
9 the transformed host cells having the human DNA of interest.  
10 That then is transcribed into mRNA. And the mRNA is then used  
11 by the cells' machinery, by the bacteria, in this case the  
12 bacteria's machinery, to build this linear array of amino  
13 acids.

14 Now, my last comment is the following, Mr.  
15 Groombridge mentioned perhaps there would be some discussion  
16 about whether a polypeptide is the same or different than a  
17 protein. There is no question that in the art today those  
18 terms are sometimes used interchangeably. But there is really  
19 no need to have any dispute about it for purposes of this claim  
20 construction because we have stipulated to the definition of a  
21 polypeptide.

22 And what we have stipulated to was the definition  
23 that can be found at column -- I have lost my notes -- but I  
24 believe it's column 8, lines 61 to 64.

25 And in the case of the '755 patent, and this isn't

1 important for the claim construction obligations that the Court  
2 has to shoulder today, but it is important for the case to know  
3 that the patent has a very specific definition of the term  
4 polypeptide. And it is not that definition that makes it  
5 interchangeable in all respects with a protein.

6 With that I will conclude and turn it over to my  
7 friends from Bayer Novartis.

8 THE COURT: I'm sorry, you are going on behalf of?

9 MR. BERL: Your Honor, you have already heard two  
10 presentations relating to transformation production. We had  
11 planned to have Dr. Ravetch present those concepts as well.

12 If your Honor would find that useful, we would be  
13 happy to have him do so and answer any questions you have. If  
14 it's not worthwhile for your Honor, if you think --

15 THE COURT: If there's something that he would  
16 like to add that's different, I would be happy to listen. If  
17 it's more of the same, I think I have a handle on what's been  
18 presented.

19 MR. BERL: Why don't we have him present just one  
20 clarifying issue.

21 THE COURT: Whatever you feel is appropriate is  
22 fine with me.

23 MR. BERL: Okay. Thank you very much.

24 DR. RAVETCH: While we are getting the  
25 presentation set up, I just wanted to give a historical

1 background to recombinant DNA story.

2 When I started my Ph.D. in 1973 at the Rockefeller  
3 University, none of this was possible. We couldn't isolate a  
4 gene from a mammalian cell. We couldn't clone it. We couldn't  
5 express it in a heterologous cell. We couldn't even sequence  
6 it.

7 So this technology has really evolved in the last  
8 40 years, and we now take it for granted because it's so  
9 incredibly powerful. But, it represented the synthesis of many  
10 scientists working for many years starting about in the fifties  
11 on bacterial genetics and on biochemistry that coalesced in the  
12 '70s the early to mid-70s to recognize that a technology was  
13 possible that would allow the interchangeability of these  
14 molecular entities, DNA from one organism to another. And that  
15 was quite a breakthrough.

16 It was really not anticipated that even though DNA  
17 seemed to be the same in all different organisms, that it would  
18 be recognized by a bacteria as its own.

19 THE COURT: Now, would interferon be present in a  
20 healthy person?

21 DR. RAVETCH: Interferon beta can be found in low  
22 concentrations in healthy individuals depending upon the normal  
23 homeostatic mechanism. So, the way interferon works in the  
24 immune system is as part of the regulatory circuit and it's  
25 able to modulate.

1 THE COURT: So, would it be present even if not  
2 challenged with something?

3 DR. RAVETCH: It can be. It depends upon the  
4 exact circumstances. So, to say we are not challenged, we are  
5 always being challenged. We live in a world of soup of  
6 microorganisms and we are constantly maintaining this kind of  
7 balance between responding in a productive way, and over  
8 responding in a pathogenic way. So that these mechanisms are  
9 all balanced on a knife's edge.

10 I think we are going to slide five. So I wanted  
11 to clarify really one point. As I said, you have heard about  
12 all of this technology, but I wanted to just talk a little bit  
13 about polypeptide and protein. Not that there is a dispute  
14 because the patent makes it very clear what's meant.

15 But, as you heard this process of transcription  
16 and translation gives rise to a polypeptide. And the patent  
17 makes it very clear that a polypeptide is a linear array of  
18 amino acids linked together in a certain -- in the polypeptide  
19 bond fashion. And when the word "linear array" is meant, is  
20 used, it really shouldn't be misunderstood to imply a  
21 structure. It's not a rod. It's not a string of beads. What  
22 it is is a sequence of amino acids that's absolutely specified.

23 Can you go back please? Absolutely specified by  
24 the DNA sequence. And because of that interchangeability, it's  
25 actually possible to not only go from DNA sequence and predict



1        what the polypeptide will be, but to go from the polypeptide  
2        sequence and work backwards and write out a DNA sequence that  
3        can encode that polypeptide.

4                And the patent actually addresses that in the  
5        elements of the claim. I can't really see what the -- it's  
6        called A. All right.

7                In Section A of the claim it talks about DNA  
8        sequences derived from certain plasmids that have the capacity  
9        to hybridize other sequences. That's a way of saying that you  
10       can have a certain degree of mismatch between the DNA sequence  
11       that you are starting with, and the sequence that you are  
12       picking up.

13               So it gives you a population of sequences. And it  
14       says in the end that you could have degeneracy of the code.  
15       So without going into much of the detail, the code that  
16       specifies a particular amino acid is not absolute in most  
17       cases. You could have several codons all specifying the same  
18       amino acid.

19               In the case of glycine, there are six such codons.  
20       In the case of methionine, only a single codon. But, it means  
21       that there are six different ways you could write out the code  
22       for methionine, which means that you can actually pick up  
23       different DNA sequences that might all encode methionine.

24               THE COURT:    You are saying you can write out the  
25       code in different ways?

1 DR. RAVETCH: You write out the code for the  
2 polypeptide. There's only one way to write out the polypeptide  
3 sequence, that is the linear array of amino acids. But, there  
4 are multiple ways you can write out the DNA sequence and by  
5 extension, the RNA sequence, that will give rise to that  
6 polypeptide sequence.

7 So, the polypeptide represents what we also call  
8 in the art the primary sequence, the primary structure. So, we  
9 have different designations for the degree of structure a  
10 protein will adopt. And the first structure, if you will, is  
11 not a structure. It's simply the sequence. And it's called  
12 the primary structure.

13 So the polypeptide is the primary amino acid  
14 sequence. And as you saw from the patent illustration of the  
15 figure showing the sequence of beta interferon, it's written  
16 out as a linear array, linear string of amino acids  
17 corresponding to a linear string of nucleotides specified as  
18 codons.

19 What happens after that, how a polypeptide starts  
20 its folding process and its maturation process is what gives  
21 rise to the biologically functional molecule. So, just because  
22 you have a polypeptide sequence, doesn't always mean it's going  
23 to function for its intended purpose. It can change because  
24 this folding process involves a variety of different steps that  
25 are required to create this specific three dimensional

1 structure that confers its function.

2 THE COURT: So, you are saying it must fold upon  
3 itself.

4 DR. RAVETCH: In order to preserve its function,  
5 it must fold upon itself. And in some cases other things can  
6 happen to it after it's folded and I showed on this slide the  
7 addition of sugars to it, for example.

8 This is a process called glycosylation. It's a  
9 very common process in mammalian cells. It doesn't occur in  
10 e-coli. It's a very rare process in prokaryotes and that  
11 kingdom. And this glycosylation can be important or it can be  
12 irrelevant.

13 In the case of beta interferon, the patent told us  
14 that if you remove the sugars, you still retain activity. So,  
15 we presume that the glycosylation therefore is not important.  
16 But, it can cause structural differences on protein as well.  
17 There's some other things that as example of some of the things  
18 that can happen to polypeptide in the protein molecules.

19 So proteins will always have polypeptides. The  
20 polypeptide isn't changed by the folding or by the  
21 glycosylation. It's still a polypeptide. What has happened is  
22 that it adopted specific shapes and can undergo specific  
23 processes that are posttranslational after you have made a  
24 protein that could effect its function.

25 So that's, I think, a subtle point but in the

1 business of molecular biology, it becomes a very important  
2 point.

3 Let's go ahead just to the recombinant DNA and  
4 just to clarify a few points there. Move ahead, please. This  
5 is a hybridization I told you about. We can skip ahead, unless  
6 you have got any questions about this process.

7 So you heard about the recombinant DNA, how you  
8 take these little circles of DNA which are naturally found in  
9 bacteria. They were identified because they confer antibiotic  
10 resistance. And that was a major step that Boyer and Cohen  
11 identified that really enabled the recombinant DNA technology  
12 that these are autonomously replicating, they can make copies  
13 of themselves, they can live within bacteria and they can be  
14 moved into bacteria.

15 And the surprise was you can take a foreign DNA,  
16 human DNA, insert it into these circles, another -- those  
17 enzymes were the contribution of Paul Berg (ph), for example,  
18 and another major breakthrough in this field. So we are kind  
19 of naming our process as we go along. But each one led to the  
20 enabling of a technology that allowed for these molecules to be  
21 created. Next slide.

22 But, what was, I think, a surprise was that you  
23 can take those combinations of bacterial DNA and human DNA,  
24 insert them into, for example, bacterial cells by the process  
25 of transformation and the DNA would not only be taken up, but

1 would be replicated. It would be recognized by all the  
2 bacterial machinery, the enzymes that replicate DNA in the  
3 bacterium as if it was one molecule. It didn't distinguish  
4 between the human and the bacterial DNA sequences.

5 And it tolerated it quite well so you can identify  
6 a host cell that was now transformed by this DNA and that could  
7 be grown up, as the next slide I believe shows, into a large  
8 culture, as you heard, of cells, all of which contain this now  
9 recombinant piece of DNA. And this was the way we cloned the  
10 gene. This was how we identified a gene. When I was doing  
11 this in my graduate work, it was to identify genes for antibody  
12 molecules.

13 And what was an anti-body? It was the actual  
14 molecular structure. And that is how we did it.

15 THE COURT: Okay. And I see that you are using  
16 the language host cell line. What would you define as the  
17 difference between cell and the cell line?

18 DR. RAVETCH: I think that is a question better  
19 posed to counsel. But, the way we use it, when we use cells,  
20 we are actually using the cell lines. So, a bacterial cell  
21 represents a strain of bacteria that are able to be profligated  
22 so they have the property of continuous growth.

23 THE COURT: So are you saying, you are using it  
24 interchangeably?

25 DR. RAVETCH: In mammalian cells, for example, you

1 can isolate a cell from the body, a skin cell from the body,  
2 for example, and you put it in culture, it won't survive for  
3 more than 10 or 20 generations. Then it undergoes a process  
4 called senescence. So we develop cell lines that are immortal  
5 that will last forever in the laboratory and can be grown  
6 continuously.

7 So cell lines are what we practically use for  
8 these kinds of technologies. If you want to express a  
9 recombinant protein in a mammalian cell, you are using a  
10 mammalian cell line like a Chinese hamster or ovary cell line  
11 or a fibroblast cell line.

12 THE COURT: Okay.

13 DR. RAVETCH: Next, please. So, the question now  
14 is you can clone the DNA, but how do you express the DNA. And  
15 this is where the species difference becomes actually  
16 important. Because the machinery for expressing proteins in  
17 mammalian cells, in human cells, are different than the  
18 machinery for expressing proteins in bacterial cells.

19 And the signals that tell the ribosome, for  
20 example, to start the process of translation, the signals that  
21 tell the RNA preliminarily to start making the transcript, the  
22 signals that tell the process to stop, all of those signals  
23 are, in fact, specie specific.

24 So, in order to basically fool the bacteria to use  
25 this genetic code from a beta interferon gene in a bacterial

1 system, you have to put in the correct signals that the  
2 bacteria will recognize. And we call those expression control  
3 sequences. I think the patent talks about them. And I use a  
4 little blue box, next slide, to represent one such class of  
5 expression control sequences. The signals that basically  
6 instruct the bacterial cell to express that gene.

7 THE COURT: Sir, are you saying that there are  
8 some differences based upon what the host will be?

9 DR. RAVETCH: There are, there can be for  
10 expression control sequences for the expression process. It  
11 doesn't effect the polypeptide. That's the end result. That's  
12 specified only by the DNA sequence that was identified from the  
13 cloning. It's the practical nuts and bolts of how you turn  
14 this into a factory for the production.

15 And one of the other things that we have to do is  
16 to regulate the process. You heard that you just take this,  
17 put it into culture, let the, if you did it right, the culture  
18 will make proteins. Actually you never want to do that. You  
19 want to control the process. Grow the bacteria. And then give  
20 it a signal to say now start making the protein of interest.  
21 Right.

22 And that usually means you add something to the  
23 media that tells this sequence, the star sequence, to turn on.  
24 Turn the switch on. And that's an inducer of the gene  
25 expression. The next slide, I believe, shows what happens

1           here. So you are once again transforming.

2                       Now, a vector that has been engineered to express  
3           the gene of interest in a bacterial cell or a heterologous cell  
4           you create this transformed cell population. Next slide. And  
5           this cell in transformation with this new modified recombinant  
6           DNA. And now you put this into a culture. Once again you grow  
7           up lots and lots of bacteria, hundreds of millions of bacteria.

8                       They are not making the protein. Now you add --  
9           the next slide -- something to the media, the inducer, the blue  
10          element, if you will. And by doing that you have induced the  
11          expression of this particular gene, this heterologous gene.  
12          And now the culture will fill up with the recombinant  
13          polypeptide. Once again specified by the DNA sequence of the  
14          gene that you are interested in, but now under the control of  
15          the sequences that you need to regulate the process.

16                      And then from this you can purify the protein.  
17          And then once you have purified this polypeptide, excuse me,  
18          you purify the polypeptide, you often have to go through a  
19          series of steps to get it to fold correctly. So it now has the  
20          right shape to be biologically functional.

21                      Often what you get out of the bacteria isn't  
22          biologically functional because it's in a very different, what  
23          we call natured or unfolded state. Same polypeptide, but it  
24          hasn't gone through the process of folding correctly. So you  
25          have to do biochemical manipulations to get it to fold. And



1           then you have a biologically active protein molecule.

2                       Okay. So, once again, other things that can  
3           happen to a polypeptide which won't change the polypeptide, but  
4           will, in fact, potentially influence the protein are the  
5           addition of other types of molecules onto the polypeptide.  
6           Sugars are added. That's called glycosylation. You can add  
7           lipids. That's called lipidation. You could add other types  
8           of molecules, cell groups and so on and so forth. And all of  
9           these processes can occur naturally or not. And they can  
10          influence function or not, depending upon the individual  
11          polypeptide.

12                     Okay. That's all I had.

13                     THE COURT: That's good. Thank you very much.

14                     DR. RAVETCH: Thank you.

15                     THE COURT: Anyone else? Do you want to respond?

16                     MR. GROOMBRIDGE: No, your Honor. I think we are  
17          ready to go to the argument phase of this. The only question I  
18          would have is given that we have run perhaps a little longer  
19          than --

20                     THE COURT: Do you want to take a break now? Is  
21          that it?

22                     MR. GROOMBRIDGE: I am wondering whether given the  
23          large assembly of people here, someone might appreciate a  
24          break.

25                     THE COURT: Why don't we take a break. How much

1           time would you like to have? I'm flexible.

2                   MR. GROOMBRIDGE: Ten minutes.

3                   THE COURT: Would you like to break for a half  
4 hour?

5                   MR. GROOMBRIDGE: What would preferable?

6                   THE COURT: Half hour. Whatever it takes you to  
7 go get something to eat and come back.

8                   (Lunch recess)

9                   THE COURT: We are going to begin now with the  
10 argument portion. Back to our argument.

11                   MR. GROOMBRIDGE: One other thing, and this may  
12 very likely be better taken up with the magistrate judge, but  
13 the parties have agreed to a three-month extension of fact  
14 discovery. And we would expect to be submitting something to  
15 the Court on that very soon.

16                   THE COURT: Okay. Very well. You can certainly  
17 address that with the magistrate judge, but I will pass that  
18 along as well. So that's a three-month extension on fact  
19 discovery.

20                   MR. GROOMBRIDGE: Yes. That will take us through  
21 to the end of June.

22                   THE COURT: All right.

23                   MR. GROOMBRIDGE: So, now returning to the matter  
24 at hand --

25                   THE COURT: All right. Before we begin, I just

1 have a very basic question in terms of plaintiff's position.

2 In terms of the claim itself, how would you refer  
3 to it? Because I have seen the terms used somewhat  
4 interchangeably in the papers or maybe in odds on the papers.

5 Would you describe it as a method with follow-up  
6 descriptive terms? Would you describe it as a product by  
7 process? Would you describe it as something else?

8 MR. GROOMBRIDGE: It's certainly not a product by  
9 process claim. It is a method of treatment claim. And we  
10 might look at it, and this, in fact we have some slides on  
11 this. But this is a hierarchy. At the top we have a method of  
12 treatment, and the method involves giving a patient something.  
13 And then dropping down in the hierarchy, the something is  
14 defined by how it's made.

15 So, if one were to break out the something  
16 separately, one could say that that is a product by process  
17 description. But, a product by process patent claim is still  
18 to a product. This is not to a product. It is to a method  
19 that involves using a product.

20 And maybe the best way I can -- the best metaphor  
21 I could use here is to say imagine we had a patent claim to a  
22 method of sweetening pancakes using maple syrup made in  
23 Vermont. The plaintiff's view is you can practice the method  
24 if you go down to the supermarket, buy a jug of maple syrup  
25 that comes from Vermont, take it home and put it on your

1       pancakes. The defendant's view is no, you have to go to  
2       Vermont, tap the trees, boil down the syrup.

3               So in that question it would be the maple syrup  
4       made in Vermont could be analogized to a product by process  
5       description. But the method of sweetening pancakes is still a  
6       method of using something. It's not a claim to a thing. All  
7       right. It's not to the jug of syrup.

8               And so ultimately this, the dispute isn't over  
9       what the -- and this is why the dispute isn't over what these  
10      terms "produced" and "transformed" mean. It's over who has to  
11      do them and when. And so we begin and end by saying this is a  
12      method of treating patients by giving them a drug.

13              THE COURT: And what guideposts do you believe  
14      the Court has for ascribing some sort of meaning to the scope  
15      of those claims? How would the Court decide whether it is, in  
16      fact, a method with descriptive terms or perhaps something  
17      else, an affirmative process?

18              MR. GROOMBRIDGE: Well, I think one, the Court  
19      would follow the hierarchy of source material that the federal  
20      Circuit lays out, you know, most definitively in the Phillips  
21      decision. And this is exactly what I will go through.

22              The Court would look, first of all, to the  
23      architecture of the claim itself and say what, how was this put  
24      together and what do the words say. This is a legal instrument  
25      and it was written carefully to convey a particular meaning.

1           Then the Court would look at the description in  
2           the patent and say, you know, how does this inform. And  
3           finally the Court would look at the patent prosecution and say  
4           what light, if any, does this cast on it.

5           THE COURT: And I certainly understand and I  
6           understand the rigors of that analysis.

7           Would you say that there is any close case to your  
8           situation?

9           MR. GROOMBRIDGE: No. I believe there is no  
10          close case to this situation. And I believe, your Honor, this  
11          is a highly unusual, perhaps unprecedented argument made by the  
12          defendants because, I don't know of a nice way to say this or a  
13          nicer way to say this, they couldn't come up with anything  
14          better.

15          And it is a very unusual argument that would lead  
16          to, frankly, absurd results. And that's the nub of the issue  
17          we have got here, that what the defendants are trying to do is  
18          construe this claim out of existence. All right.

19          Now, your Honor asked well does the transformation  
20          happen once or more than once. It only happens, I mean in any  
21          meaningful process, this is a very difficult, complex operation  
22          that is the -- you know, toward the front end of a huge  
23          regulatory process. It takes 20 years to get these products to  
24          market. All right.

25          And the idea that this patent claim was written to

1       require a physician to do something that only a team of  
2       molecular biologists with tens of hundreds of millions of  
3       dollars and adequate, vast access to resources can do, that the  
4       only person who can practice this is a physician who inserts  
5       that DNA and grow cultures themselves and grows them up and  
6       purifies them and then only then treats the patient, is frankly  
7       absurd.    What that means is the claim will never be practiced  
8       by anyone.

9               And the reason, you know, and there is no shortage  
10      of legal talent.   There is very competent, I mean these are  
11      some of the best patent lawyers in the country.   Right.   That  
12      the reason this is going on, your Honor, is because basically  
13      what this case is about is not whether the patent is infringed,  
14      but who invented it first, those scientists around the world  
15      who were racing to get there.   The real guts of this case is  
16      who thought of this first.

17             And the defendants don't want to go into a fight  
18      having to say yeah, gee, we admit we infringed.   So, they have  
19      passed through this over and over again and they have come up  
20      with a frankly very creative argument.   I take my hat off to  
21      them.   But, we labored in vain to find any case where anyone  
22      had made an argument like this because it's a very out there  
23      argument.   Right.

24             And that is why, in fact, it was even difficult as  
25      we progressed through the briefing, the meet and confer process

1 and then the briefing, the reason why it involved the briefing  
2 is because at the beginning it didn't even seem as though it  
3 was a claim construction argument. It was couched by the  
4 defendants in terms of the evidence that the plaintiff would  
5 have to adduce at trial in order to prove infringement. That,  
6 you know, that's not claim construction, that's about a burden  
7 of proof or something. Right.

8 And I guess it evolved and we have certainly come  
9 to the point where we say look, issue is fully joined. Let's  
10 just get this resolved. Let's not put it off. But, ultimately  
11 it's a highly unusual claim construction argument.

12 In over 20 years of practicing patent law, I have  
13 never come across something like this. I have never seen  
14 another case like it. And ultimately so in terms of looking  
15 for guidance in prior precedent, I suspect that that's just not  
16 going to be a valuable exercise, and we have certainly looked.

17 One can break it down. So product by process  
18 claim, I would be happy to talk about, okay, what's the law on  
19 product by process.

20 THE COURT: They are relying on a number of cases  
21 but they rely on the Abbott case.

22 MR. GROOMBRIDGE: But, I don't think there is any  
23 dispute. Usually what happens in a product by process case is  
24 this, the reason for product by process claims is I have  
25 invented something but I can't tell you what it is. I can only

1 tell you how I made it.

2 And very, you know, to give an example, an  
3 antibiotic which I produced by fermentation of some particular  
4 microorganism that I found out out in the countryside. And it  
5 turns out that it makes just a great antibiotic. I can't, I  
6 don't know what the structure of that is. I am still entitled  
7 to a patent. And the way I get a patent is I write a claim  
8 that says the product that is produced by flattening this  
9 microorganism and fermenting it and purifying it, and that's  
10 great. And the reason is I couldn't describe it by what it is,  
11 I could only describe it by how I made it.

12 The law says, okay, you are free to do that. If  
13 you do it, the only thing that infringes it is the same product  
14 made by the same process. If somebody can figure a way to make  
15 the same product by a different process, they are outside the  
16 scope of your patent. And that spawns disputes like some of  
17 the ones that they have cited where we get into court and an  
18 accused infringer has figured out, you know, for example,  
19 generic drug companies has figured out a clever way to make it  
20 that's different.

21 And the patent owner shows up and says that makes  
22 me mad, I think you infringed. And the defendant in that case  
23 says no, you are trying to read out these process limitations  
24 and we'll work it out with the Court systems. And the Courts  
25 invariably say the product by process claim, the product has to



1 be made by the same process.

2 Now that's all fine. That's irrelevant here  
3 because we don't dispute any of that. And part of what's going  
4 on in their briefs over and over again is an attempt to set up  
5 straw men arguments and try to recast what Biogen is saying.  
6 They are saying Biogen is trying to read out these limitations,  
7 you know, produced by and transformed by affirmative  
8 limitations in the claim. That's absolutely not the case.

9 The reason there is no dispute that there is  
10 affirmative limitations in the claim, there is no dispute that  
11 that the product that you give the patient has to have been  
12 produced has -- has to include a polypeptide produced by a non  
13 human host that was transformed with a micro DNA. Everyone  
14 agrees.

15 The other thing that everyone agrees with is they  
16 do that. They did the transformation. They do produce that.  
17 The reason for the disagreement is just as your Honor went  
18 exactly to this point, they did the transformation as I just  
19 heard in 1981. And they also, they make these products, some  
20 of them outside of the U.S.

21 So the view of the world is look, I did the  
22 manipulation of the DNA before your patent issue. I am doing  
23 exactly what your patent says. Right. But, I did some of it  
24 before that. And if I could make that an affirmative method  
25 stamp, I would have a defense because I have to -- for

1           infringement, the law says in a method claim, the infringer has  
2           to practice every step of the method.

3                       So, if this claim has three steps instead of one  
4           step, and if this is a claim to a method of transforming and  
5           then -- a step of transforming and then a step of producing and  
6           then a step of administering to a patient, I can say as  
7           defendant I did the step of transforming before your patent  
8           issued. I maybe did the step of producing outside of the  
9           United States. I shipped the product in with instructions for  
10          physicians to administer it to patients just exactly the way  
11          you say. Right. And that all happened.

12                      The patient got it within the terms of the patent  
13          and I made hundreds of millions of dollars doing that. But, I  
14          don't infringe because I did the DNA manipulation many years  
15          ago in another country. And that's what it boils down to. So  
16          that's why, your Honor, we don't have the dispute about the  
17          meaning of the terms.

18                      Your Honor heard I think the same thing three  
19          times over this morning. I think that what we have a dispute  
20          about is if you look at the architecture of this claim, is it a  
21          method that has a step of doing something and then a  
22          description of the composition? Or is it a method with three  
23          separate steps? And that's the nub of the dispute. And I may  
24          be wearing out my welcome with this but --

25                      THE COURT: No, not at all.

1 MR. GROOMBRIDGE: But, I will jump into the slides  
2 here.

3 So here is what I would like to go through is the  
4 patent, the legal framework very quickly. The disputed issue  
5 which has, as the slide suggests, apropos what I was just  
6 saying --

7 THE COURT: You know what, let me just address the  
8 terms "produced by" and "transformed by". I know we are  
9 basically making the same presentation and we seem to be on  
10 board with how those terms are defined.

11 Is there a specific agreement that you would like  
12 the Court to take as far as those terms?

13 MR. GROOMBRIDGE: Your Honor, I actually -- from  
14 the Court's question this morning, I suspected I might be asked  
15 that and I armed myself with page 10 of our opening brief.

16 THE COURT: All right. I have that. I know you  
17 have a chart regarding --

18 MR. GROOMBRIDGE: We have a chart and I don't  
19 know that there is need for the Court -- oh, well, everyone is  
20 on their way there already. I was going to say no need to find  
21 it.

22 So, our view on produced by a non human host, our  
23 first view is this, and basically these words, we are not going  
24 to get better by paraphrasing them. If we wanted to paraphrase  
25 them, we could say expressed by a cell line that is not a human

1 cell line.

2 THE COURT: But, your primary argument is that  
3 it's easily understandable at this point. It need not be  
4 further defined, correct?

5 MR. GROOMBRIDGE: That's right. You know, there  
6 is a possible subsidiary dispute around host. Is that a cell  
7 line or not? I think based on the remarks this morning we  
8 don't appear to have a dispute on that. And, you know, we are  
9 not looking at any magic to these words, you know.

10 If the defendants have a difficulty with some  
11 choice of word we have made, you know, that's very resolvable.  
12 And I think the same is true with "transformed". You know,  
13 that might have a slight caveat there where there may be again  
14 a temporal issue because their proposal is in the present tense  
15 and ours is in the past tense. And that matters precisely  
16 because of the dispute about -- but, as far as concept is  
17 concerned, you know, we are saying, look, if transformed means  
18 you have taken -- the DNA molecule has been introduced into a  
19 cell or cell line in a stable, non transient manner, and again  
20 conceptually I don't think we have any disagreement, what I  
21 would -- my suggestion was going to be, your Honor, that we --

22 THE COURT: Take a moment to discuss?

23 MR. GROOMBRIDGE: Yes, exactly. You know, I think  
24 the defendants, there are two defendants, they may need to  
25 confer.

1 THE COURT: And you can certainly start that  
2 today. You could also continue it afterward and get back to us  
3 to the extent there is any possibility for agreement on the  
4 entirety or even some of those terms. I would welcome that  
5 certainly.

6 MR. GROOMBRIDGE: So, and just so we are clear,  
7 the fourth item here is things like host, does that mean cell  
8 or cell line, where I don't -- I think there is no meaningful  
9 dispute, but I just want to be clear so we don't have a  
10 situation where six months from now we are all saying if only  
11 we had raised this with the Judge at the right time.

12 THE COURT: You know what, I am going to just stop  
13 you for one moment because I would like to hear from the  
14 defendants with respect to these terms. I know we are in  
15 plaintiff's argument at this point.

16 But, is there any likelihood that we are going to  
17 come to agreement with the terms?

18 MR. BERL: I think with respect to the cell,  
19 cell line issue, I think there is likelihood we will come to an  
20 agreement. With respect to the definition of "transform", I  
21 think if you look at the two definitions that the parties have  
22 proffered, it imbues a certain disagreement about the verb  
23 tense, which I think you will hear that from both parties.

24 THE COURT: The tense I understand.

25 MR. BERL: Subject to that difference, which I

1 think the Court will resolve in the context of the overarching  
2 dispute between the parties, I think we may be able to come to  
3 some agreement.

4 THE COURT: Okay. Because I wanted to get some  
5 understanding as to that before we embark on a lengthy  
6 discussion on this when maybe we should be focusing more on the  
7 scope issue.

8 But, if you think that there is no possibility or  
9 a very small possibility on resolution, then obviously I want  
10 this full blown so I can hear the entirety of it.

11 So, it sounds like there is likelihood that you  
12 will be coming to agreement on these terms, whether it's today  
13 or, you know, in a couple of days, you can certainly address  
14 that amongst yourselves.

15 How does that sound? I think you can go through  
16 the rudiments here. But then I think probably the main focus  
17 should probably be on the scope issue. And certainly if it  
18 comes to pass where you have gone back to your offices, talked  
19 about this and you have not come to an agreement, I could have  
20 you back to revisit if I have any issues concerning it.

21 I mean hopefully I have everything that I need at  
22 this point. But, to the extent there is any open issues, I  
23 could have you do a telephone conference or do whatever. Okay?

24 MR. GROOMBRIDGE: Fine with us, your Honor.

25 THE COURT: Okay.

1 MR. GROOMBRIDGE: So, moving right along here,  
2 the patent, as your Honor heard this morning, the U.S.  
3 application was filed back in April of 1981. And the very  
4 first thing of substance that happened, this was in April of  
5 1982, was the patent office issued what is called by patent  
6 lawyers a restriction requirement.

7 What the restriction requirement said is you  
8 haven't got just one invention, you have got more than one  
9 invention. And, in fact, and this is probably the most  
10 fundamentally informed thing in this whole long 28 years in  
11 patent office, that the patent office looks at this application  
12 right at the get go and says, this is, in fact, five different,  
13 separate inventions. And it lists them out.

14 The first one is, I will abbreviate that to DNA.  
15 The second one is the polypeptides themselves, the interferons.  
16 The third one, and this is important given the arguments that  
17 the defendants are making, is the method of making the  
18 polypeptides.

19 The fourth one is a method of detecting DNA  
20 sequences. This one kind of whizzes on the vine. In the  
21 scheme of things this ceases to be important. The other four  
22 are important.

23 And the last one and most important for this  
24 patent is the method of treating viruses and cancers. Because  
25 the claims in this patent descend from this part of the

1 restriction requirement. And the reason I say that, what  
2 happens when you get a restriction requirement is that the  
3 patent office says these five inventions, five in this case,  
4 are distinct and can support separate patents.

5 What it means by that is, even if somebody else  
6 invented one of them, you could still go to patent on the  
7 others. They do not stand or fall together.

8 And the reason that this informs pretty much  
9 everything that's going on in this case is what the defendants  
10 would really like to do is to say to the Court well, you know,  
11 as soon as you have got the DNA, everything else just falls  
12 into place and is just completely straightforward. And this is  
13 why, your Honor, you heard from Mr. Barsky this morning about  
14 that interference proceeding and the Japanese gentleman  
15 Taniguchi was awarded priority as to the DNA.

16 What the defendants would like to do is to say all  
17 the DNA, also I suspect why we heard from Dr. Ravetch, that  
18 there is a one to one correspondence between the amino acids  
19 and the polypeptides and the DNA sequence.

20 The problem with this, and the problem for the  
21 defendants is that unlike most of what happens in the patent  
22 office, a restrictionary requirement cannot be reviewed in  
23 court by statute. That there is a statute that says -- well,  
24 what the defendants would like to do is to say once you have  
25 got the DNA, Taniguchi had the DNA, all the rest is obvious.



1                   What they are really arguing in this is to say,  
2                   your Honor, well it says it's a method of treating, but, you  
3                   know, what syringe you pick, that's not the invention. So,  
4                   what it really is is a method of making polypeptides, your  
5                   Honor, and you should construe the claims accordingly.

6                   The problem with that is it's inconsistent with  
7                   this restriction requirement. The reason they are doing it  
8                   under the guise of claim construction is because they know  
9                   perfectly well that the Statute 35 U.S.C. Section 121 says in  
10                  essence it makes this like the rule of the case, unlike a lot  
11                  of things where you could go to the Court and say I disagree  
12                  with what the patent office did.

13                  This is a rare situation where the statute says  
14                  either later on the patent or in court you cannot challenge,  
15                  second guess this. You can't say well, I disagree and I think  
16                  they don't. They all stand or fall together. They are not  
17                  separate inventions. So their room for maneuver is severely  
18                  restricted here.

19                  And that leads us back then to an attempt through  
20                  claim construction to say well, the real invention here isn't  
21                  the method of treating, it's the method of making the  
22                  polypeptide. And you know that is just squarely contrary to  
23                  this finding by the patent office which is now a done deal for  
24                  reasons of repose, basically. And ultimately pretty much  
25                  everything comes back to that.

1                   What happens when you get one of these  
2                   requirements is it, in essence, splits the lineage of the  
3                   patent application into different branches claiming back to the  
4                   same original filing. And one of the things that's important,  
5                   your Honor, is the procedures in the patent office allow you to  
6                   split out the patent claims, but they all retain the same  
7                   specification.

8                   So, because the rules of the patent office say  
9                   very, very rare that you are allowed to edit the specification  
10                  after it's filed. It's essentially frozen in time on the  
11                  filing date. So, where this has happened what you would have  
12                  is the patent specification that includes description of five  
13                  inventions, and separate parallel applications in which the  
14                  claims then are limited to each one of those inventions. And  
15                  that's what happened here, in essence.

16                  So, the argument, for example, well, there is a  
17                  whole lot of stuff in the patent specifications about how you  
18                  make a polypeptide, therefore the claims must relate to that.  
19                  Again, it's inconsistent with this. And ultimately what's  
20                  going on here is the patent office said the first category is  
21                  the DNA. And then there was this interference and Taniguchi  
22                  won. And we, Biogen, actually pay royalties to under the  
23                  Taniguchi patent on DNA.

24                  Category 2, the polypeptides, the Court may be  
25                  surprised to hear that that is still pending in the patent

1 office even after all of these years. There could yet be  
2 another patent on that.

3 And Category 5, the method of treatment, was  
4 granted as the '755 patent eventually and the patent office  
5 having full knowledge that the DNA claims have been awarded to  
6 someone else. And it's one of the most experienced patent  
7 examiners in the entire patent office, and it's the same  
8 examiner who has overseen all of these things.

9 And the reason for that traces back to this  
10 decision in 1982 that these are separately patentable. And I  
11 will get into a little bit why that would be. Because although  
12 the defendants would have you believe the method of treatment  
13 is nothing more than picking the right syringe, in fact there  
14 is a lot more to it.

15 THE COURT: You are saying on Category 1 with  
16 respect to the DNA, you are saying that Taniguchi was the  
17 successor on that?

18 MR. GROOMBRIDGE: Yes. Taniguchi won on that.  
19 And there is currently, on Category 2, there is currently a  
20 dispute in the patent office between Taniguchi and the  
21 gentleman from California. Biogen is not a party to that.  
22 But we sort of view that like a kind of NCAA bracket. When  
23 that gets resolved, they may say okay, bring it on Biogen. And  
24 the patent office grinds awfully close. So this is perhaps  
25 years worth of further proceedings there.

1                   So, but you know the DNA went to someone else.  
2                   That doesn't mean, okay, so everything else is just, you know,  
3                   some sort of trivial implementation once you have got the DNA  
4                   in place.

5                   THE COURT:    Are you saying that that DNA and that  
6                   analysis is the same as what is described in this claim?

7                   MR. GROOMBRIDGE:   Right.  Well, the DNA that  
8                   Taniguchi, I believe, and I am operating from memory, would  
9                   have been the same DNA that is described in the DNA part of  
10                  that Figure 4 that I put up with all the long series.

11                  It's not the same exactly as the DNA in claim one  
12                  of this patent.  This is a more complicated relationship  
13                  between them, which goes to how Walter Fiers, our inventor, had  
14                  actually gone about creating this method of treatment.

15                  THE COURT:    Well, if it's not exactly the same,  
16                  is it relevant to us?

17                  MR. GROOMBRIDGE:   It is relevant in the sense  
18                  that, to the extent that we are talking about -- we tried to  
19                  get and failed, a patent to the DNA for human, for beta  
20                  interferon.  That was the subject of the interference.  And the  
21                  courts eventually found that Taniguchi was entitled to that.

22                  There is an issue in terms of who was first with  
23                  the fact that people outside the U.S. -- only activities in the  
24                  U.S. count.  So, that's, you know, that's a legal principle.  
25                  But, in terms of the absolute who got there first, there could

1 be a different answer. And there certainly were groups of  
2 scientists racing for the same thing.

3 THE COURT: Okay. But, the fact that that  
4 sequence may be different from the sequence that we are  
5 addressing here in claim number 1 of the '755, to the extent  
6 it's different, wouldn't that impact us? Because we are not  
7 really discussing the same DNA sequence.

8 MR. GROOMBRIDGE: I guess what I would say is  
9 what we have got here, we have defined four pieces of DNA as  
10 alternatives that you could use.

11 THE COURT: In this one.

12 MR. GROOMBRIDGE: In this one, in claim one.  
13 Each one of those pieces is a subset of what Taniguchi had.  
14 And if you overlaid them, there is some redundancy between  
15 them. If you overlaid them what you would end up with is the  
16 same single piece of DNA.

17 So, for practical purposes, I don't believe there  
18 is a difference. I think I would say that Biogen had its  
19 chance to try and get a patent on the DNA and it lost. That's  
20 done and finished in 1993 in the federal Circuit and too bad  
21 for us.

22 But the point for the future, for now, this case  
23 when we are looking in the future, is that because the patent  
24 office found the polypeptide, the method of making the  
25 polypeptide, and the method of treating human beings with the

1 polypeptide are all separate inventions that stand  
2 independently, then it doesn't matter that the DNA is in the  
3 prior art. Someone else invented it.

4 And my client advises me, before I give away the  
5 store, that there is actually still a pending dispute on part  
6 of the DNA. And so let me please, your Honor, retract those  
7 remarks to the extent anyone would say well, Groombridge said  
8 Biogen lost on this.

9 THE COURT: All right. Point well taken.

10 MR. GROOMBRIDGE: It's more complicated than I  
11 appreciated, and I apologize.

12 THE COURT: Thank you.

13 MR. GROOMBRIDGE: Now, moving along, and I don't  
14 want to soak up all the time, but the real purpose of this is  
15 just to say that the patent is talking to, not to the lawyers  
16 in the room, it's talking to Dr. Ravetch and Dr. Jackson. And,  
17 you know, so if transformed makes sense to them, then that's  
18 enough.

19 And then the hierarchy of the source material, we  
20 start off with claim language. And then, you know, the federal  
21 Circuit says always start with the claim language. Most cases,  
22 that is kind of, it's nice to know, but it really doesn't help  
23 because we are arguing over what widget means. And all the  
24 claim says widget. This is a different case, the case where  
25 the claim language actually is very helpful because of the

1 words chosen, the tense of those words and their arrangement in  
2 a logical flow within the claim, as we will see. So here we  
3 would say that, in fact, probably the most helpful source is  
4 the claim itself.

5 The specification, again we look at that. We  
6 always look at it and we try to get something in alliance, our  
7 view of the claims with what the specification says.

8 THE COURT: Back to the case law just for one  
9 moment. In terms of how the claim is actually written, you  
10 don't believe that there is any case on point with a claim that  
11 would be written in a similar matter that has been construed by  
12 the courts?

13 MR. GROOMBRIDGE: To our knowledge, that's  
14 correct, your Honor. And I think the reason is because I don't  
15 think an argument like this has been litigated.

16 Now, the prosecution history, the last part of the  
17 last leg on the stool, the three legged stool here, we  
18 certainly should look at that if it's in evidence. The Court  
19 may be grateful for the fact that we have not included all  
20 28 years worth in the record.

21 But, the important thing is this, the relationship  
22 between the claims and the prosecution history is sort of like  
23 the relationship between a statute and the legislative history.  
24 And so the federal Circuit is expressly cautioning us here that  
25 because it's not final, it often lacks clarity and it's less

1           useful for claim construction purposes.

2                   And we would say given the arguments that have  
3           been made, which in my reading of the briefs I have to say a  
4           length of clarity this morning was something that came out that  
5           we think that this principle is implicated in the dispute here.

6                   Finally, extrinsic evidence, the Court is  
7           completely free to look at extrinsic evidence. It just can't,  
8           I can't change the meaning of the claim by summoning some  
9           silver tongued expert to say well, Judge, let me tell you what  
10          they really mean.

11                  Now, here is the heart of the dispute. To us this  
12          is a method of treatment consisting of a single step, just the  
13          one step, administering a recombinant polypeptide.

14                  When we look at the claim, again the very  
15          beginning we talk about the claim, it's a method for doing  
16          certain things, treating diseases, comprising this step of  
17          administering to a patient something. And the only step that  
18          is ever called out, the only time the word "step" appears is  
19          right there. And that is the first or most important thing  
20          that I mean in terms of the claim language itself, we think,  
21          answers the question.

22                  If this were a multi-step method, the word "step"  
23          would be in it more than once. If we pass this claim down,  
24          let's just gray out the claim and then put back in the really  
25          operative language here, it's a method for treating disease



1 comprising the step of administering to a patient -- that's the  
2 only step -- a therapeutically effective amount of a  
3 composition comprising.

4 Now, your Honor, as we read this claim that  
5 everything that follows that is, in essence, a adjectival  
6 description of the composition. You can call it a product by  
7 process description. You can say the composition is the  
8 product and this includes the process. We don't argue with  
9 that.

10 What we are saying is that everything in this  
11 claim after that is a description of what has to be  
12 administered. It's not a process step that has to be carried  
13 out. That's why the word "step" doesn't appear here. It's why  
14 administering is in the present tense. And if we went back and  
15 looked, we would see transformed, produced and transformed in  
16 the past tense. It's why we think about sequence of events  
17 here.

18 If, in fact, this were the method that the  
19 defendants say it is, we wouldn't start by administering to the  
20 patient. We would start by transforming the DNA. Then we  
21 produce the recombinant polypeptide. And then we would  
22 administer it to the patient. So, it's all in the wrong order  
23 if you read it the way they read it.

24 And so what this means, this is the Vermont maple  
25 syrup. And it's saying, yeah, it has, someone had to be in

1 Vermont. Someone had to tap the trees. Someone had to made  
2 it. That's fine. But, for practicing this method, all we have  
3 to do is go to the supermarket and buy some. You don't have to  
4 go to Vermont and tap trees.

5 Just as Vermont maple syrup is an adjectival  
6 description of the syrup, all of this is an adjectival  
7 description of the therapeutic drug that has to be  
8 administered.

9 THE COURT: When we started today we had  
10 discussed whether it would be a product by process. And I  
11 believe I asked you that and you said no, it's really a method  
12 for administration.

13 Do you feel, after thinking about this, that those  
14 terms are interchangeable?

15 MR. GROOMBRIDGE: No, not in the least. What I  
16 feel, and I feel I am not doing a good job of explaining it,  
17 but what I feel is this piece is, this is the method. The  
18 method ends up, the last part of the method, in fact the only  
19 part of the method is administering the composition.

20 Now, if we just started the claim right here with  
21 the composition, we scratched out the method. Ignore that.  
22 And say now I am going to consider this claim as a claim not to  
23 a method, but to a thing, a composition. You could then say  
24 the composition is defined in product by process terms.

25 THE COURT: So, only if it were a thing as

1           opposed to a method.

2                   MR. GROOMBRIDGE:     Right.   That's it.

3                   THE COURT:     Because that's the distinction.

4                   MR. GROOMBRIDGE:     Exactly.   It may be a method of  
5           using a product by process and then nested like Russian dolls  
6           inside one another.   But the biggest one is the method.

7                   THE COURT:     So that's the distinction.   And the  
8           way I read your argument is that you are saying that this claim  
9           is a method of, a step of administering to the patients the  
10          following.

11                   MR. GROOMBRIDGE:     Exactly.

12                   THE COURT:     Which is described.

13                   MR. GROOMBRIDGE:     Exactly.   As long as it meets  
14          that description and you made it by, you know, transforming the  
15          cell and then producing -- as long as it meets that, the fact  
16          that you did it some years ago or you did it in China, doesn't  
17          matter.   You are still treating the patient and getting the  
18          medical benefit and infringing the patent.

19                   Now, again the purpose of this slide was really  
20          just to say there is no dispute that the claims require the  
21          step of administering the composition.   We all agree on that.  
22          There is no dispute that the composition has to have a  
23          recombinant polypeptide.   No one is saying you can get the  
24          natural stuff and administrate it to a patient and you would be  
25          covered by this.   There is no dispute that the recombinant

1 polypeptide must have been produced using the transformed human  
2 host. There is common ground for all of us.

3 The dispute is only over who has to do it and  
4 when. And this is where the defendants have come up with this  
5 argument of saying let's rewrite it into a three-step method  
6 and say if you are not doing all three steps in the U.S. within  
7 the terms of the patent, you are not infringing. And that's, I  
8 think we have covered that conceptually. But this is where  
9 it's laid out in their brief.

10 Now, the problem with this is that it produces an  
11 absurd result that if you look at this claim and you construe  
12 it the way that they want to construe it -- again my learned  
13 colleague thinks I have skipped over something important here.

14 So, here they say that these terms was in  
15 adjectival terms. It should be in the present tense and should  
16 have the word "step" with them and you have to do that. And  
17 there is an express statement they say it has to be carried out  
18 during the term.

19 So, the argument is going to be not that we are  
20 doing something different technically, medically, from what  
21 you describe in your patent. But, that we structured our  
22 affairs in such a way that we have a kind of get out of jail  
23 free card that we can do this and still not be covered by your  
24 patent.

25 And the absurd results is the way they want to

1       construe this is to say well, the same person or entity has to  
2       manipulate the DNA, produce the protein, purify the protein and  
3       then administer to a patient and presumably you get FDA  
4       approval somewhere in there.

5               And, you know, there is probably certainly no  
6       individual in the world who could ever do that. It's not clear  
7       to what extent there are even institutions that could do that.  
8       That basically what they are saying is let's construe this in a  
9       way that we construe it down to zero. And normally when an  
10      advocate comes to court and says well, my adversary's position  
11      would yield an absurd result, typically what happens there is  
12      the adversary stands up and says absolutely it would not. The  
13      result is perfectly acceptable. But that's not what's happened  
14      here.

15             Here when we said well, your Honor, this is  
16      yielding an absurd result, the answer, it took us to page 27 to  
17      get there but feeling they had to address it, the answer is  
18      yeah, so what. That if it's an absurd result, well, that's  
19      just the result that it has to be.

20             But my point is we don't appear to disagree that  
21      that would be the result, as a practical matter. Essentially  
22      no one would ever practice this patent.

23             THE COURT: I believe their argument is it  
24      shouldn't matter to the Court whether it's an absurd result  
25      because you should really be looking to construe the claim and

1           their scope.

2                       MR. GROOMBRIDGE: I don't disagree with that, but  
3 I think that when we start out and say it is presumptively  
4 plausible that a sophisticated company would spend 28 years  
5 getting a patent that doesn't cover anything, and that if you  
6 are going to make that argument, you had better have some  
7 pretty powerful evidence to back it up.

8                       And I would submit, your Honor, that's precisely  
9 what is lacking here. Because coupled together based on a  
10 couple of statements in 1996 and 1997 back and forth between  
11 the patent office, do not expressly say this.

12                      And if we go through this, I will move along  
13 quickly because I think I have already said quite a bit of  
14 this. But, from looking at our triad of sources, the claim on  
15 its face only uses the term "step" once. And it is carefully  
16 architected to have that series of indents, if you will, to say  
17 the step is just administering the composition.

18                      The specification talks about how this method of  
19 treatment is a fundamental aspect of the invention. You know,  
20 and I will come back to this, but in our view one of the  
21 differences -- and if you know should we ever reach the trial  
22 in this case, this is something that will be front and  
23 center -- is that the other scientists who were doing this were  
24 focused very much on pure science and they wanted to get that  
25 DNA sequence.

1           Fiers in Belgium was focused much more on how do I  
2           solve the medical problem. And the body of work that he did  
3           when he described it in this patent differs from the work in  
4           the rival patents, and it differs with respect to how do I  
5           actually use this stuff in patients and make it medically  
6           useful. And that is why he ended up with the method of  
7           treatment, the medical use patent, even though somebody else  
8           got the DNA patent.

9           And the third leg of the stool we have here, the  
10          one thing that is unequivocal in the patent prosecution is that  
11          statement at the very beginning, that a method of treatment is  
12          patentably separate and distinct from the method of producing  
13          the polypeptide.

14          THE COURT: We touched upon this before, but what  
15          do you make of defendant's argument regarding the step of  
16          administering to the extent this is open ended?

17          MR. GROOMBRIDGE: I am not sure that I understand.

18          THE COURT: As opposed to describing what the  
19          means of administration are, it just provides the step of  
20          administering.

21          MR. GROOMBRIDGE: Because the point of the method  
22          of treatment, the invention doesn't reside in what syringe you  
23          use or what kind of things have to be injected or what kind of  
24          pill you put it in. But it's an attempt to trivialize the  
25          invention by saying well, they purported to get a patent on

1           some delivery mechanism. No one is saying that.

2                   THE COURT:    You are saying the method is the fact  
3           of treatment.

4                   MR. GROOMBRIDGE:    The method is the ability to  
5           make something, and here's the important part, and know that  
6           that something will actually work to treat human disease.    And  
7           the patent goes into fulsome detail about how you do that  
8           second part. Because I agree absolutely with what Dr. Ravetch  
9           said this morning. You can take that DNA and you can make a  
10          polypeptide and you could get a complete dud. The polypeptide  
11          can be worthless. It can be ineffectual, have no biological  
12          activity.

13                   And the key part of this patent, and the part that  
14          supports the method of treatment is a very rigorous body of  
15          work on proving up how you know when you have done this, that  
16          this thing will actually work in human beings and be used in  
17          treating disease. It is that work that supports -- and,  
18          moreover, how you get enough of it.

19                   Because remember the claim was also a  
20          therapeutically effective amount. Because part of the problem,  
21          if all I have got is something that costs \$22 billion a pound,  
22          that can't be treating anyone. And what this inventor did was  
23          to say I am not just interested -- on an academic level it's  
24          great to get that sequence and put it in a more prestigious  
25          scientific journal fantastic. But, my interest is I want to



1           treat human beings.

2                       I mean this isn't on the record but what he did  
3           was assemble a multi-disciplinary team. But, he did a more  
4           difficult and extensive body of work. What is in the record is  
5           his description of what he did in the patent. And that's why  
6           we come out in a different place here.

7                       So, to come back to the Court's question, it's  
8           not, the method of administration, the invention here is not  
9           picking the right syringe or the right diluent, you know, if  
10          you want to put it in a pill to a degree or a pill --

11                      THE COURT: Just the fact that you have a  
12          substance that is suitable to treat.

13                      MR. GROOMBRIDGE: Precisely, that has biological  
14          activity.

15                      THE COURT: I understand.

16                      MR. GROOMBRIDGE: And so what the defendants are  
17          trying to do is rewrite the claim. In their brief they say  
18          well, the process included the step of transforming. And, you  
19          know, I would just like to point out that's precisely what  
20          isn't in the claim. It says transformed. It exactly doesn't  
21          say the step of transforming or producing.

22                      And again note how they have reversed the order  
23          here because if it did mean what they would like for it to  
24          mean, it would be in a different order. It would have to be  
25          reversed. You can't give somebody something that you haven't

1           made yet.

2                       So, the fact that you have to in your brief  
3       rewrite the language of the claim is a pretty good indication  
4       that the claim doesn't mean what you say it means.

5                       And I think this is just a synopsis of the points  
6       that I have made. So, I will, you know, there's others slides  
7       but unless the Court has a question, I don't think I will go  
8       back through it.

9                       The architecture of this claim is carefully worded  
10      as a legal instrument to say there is just one step and the  
11      composition is described historically not in the present tense  
12      through a series of steps.

13                      Now, if we turn to specification, the  
14      specification calls out that the purpose of this is to produce  
15      things that we could actually use in a medical context. That  
16      we want to be able to have things that will actually have  
17      biological activity. And it calls out the problem with the  
18      state of the art that is what we call the intriguing and  
19      fascinating substance, but it's in very minute quantities.

20                      Then it says with this we can get polypeptide that  
21      does have the right activity for use in treatments and it  
22      allows, moreover, the production in amounts by method not  
23      available. In other words, we are actually going to be able to  
24      treat human beings in a medical context.

25                      Now, I said the specification went into some

1 detail on this and here the slide 34, this is the portion of  
2 the specification that talks about how medical activity was  
3 proved. So we are looking at columns 37 through 46 of the  
4 specification.

5 And just to call out the headings there, all of  
6 this part of it, it's not about how you make the polypeptide  
7 which is described certainly in great detail, but how you know  
8 that it has biological activity. Because just as Dr. Ravetch  
9 said, you could go through this whole exercise and very easily  
10 end up with something that has no activity and is useless in  
11 medical treatment.

12 And after this is another part of the patent  
13 specification that talks about again how we approve the yield  
14 and activity. So, because precisely what we want to do here is  
15 to have the activity, the right kind, and also get enough. You  
16 know, if I have three molecules of this, even if they have the  
17 right activity, that's interesting, but I don't have a  
18 therapeutically effective amount.

19 And ultimately to come back to that is why, your  
20 Honor, the patent office said these are different inventions.  
21 And it is why the method of producing polypeptides is item  
22 number three in the five different inventions. And the method  
23 of treating viruses and cancers, the ancestor of these claims.

24 THE COURT: And I'm sorry, the application with  
25 the five different claims was called the?

1 MR. GROOMBRIDGE: It's called the 609  
2 application.

3 THE COURT: Okay.

4 MR. GROOMBRIDGE: And it is the very first one  
5 that was filed in the United States.

6 THE COURT: Okay.

7 MR. GROOMBRIDGE: Now, also in the prosecution  
8 history I would point out that I have said several times that  
9 the claim only uses the term "the step of" once. It didn't  
10 always even have that language. It used to say just  
11 administering.

12 So, in the prosecution history this language "the  
13 step of" was added. It wasn't always there and carried along.  
14 And again this may not be the, you know, the rock crush of a  
15 point, but our view, your Honor, is that if they had meant to  
16 put it in three times, they would have put it in three times.  
17 That when they put it in, they put it in once and they did that  
18 deliberately.

19 Defendant's arguments, I think I probably don't  
20 need to dwell on this too much, I think we have covered much of  
21 this. But I will go talk about the first point because the  
22 core of their argument is essentially to say well, look, in the  
23 back and forth with the patent office, you said process steps  
24 plural and therefore there has got to be more than one.

25 So let's drill down on that and see what actually

1       happened. And this finds the origin in the statement not by  
2       Biogen, but by the patent examiner. And remember now there are  
3       multiple parallel applications. The patent examiner looks at  
4       some of these applications then pending and says well, the  
5       positive process steps in this group of claims, claims 31  
6       through 34, of the instant application, that's the 930, and the  
7       positive process steps in claims 31 to 34 of another  
8       application, the 723 application, are identical.

9               What the examiner is saying is you can't get two  
10       patents on the same thing. And in our view, your Honor, the  
11       reason why the examiner is using this locution, positive  
12       process steps, is because he is talking about eight claims,  
13       four in one application and four in the other application. And  
14       even though those claims have one single step each, there is  
15       eight of them. So it natural to write positive process steps  
16       when you refer to them collectively.

17              Now, the defendants take a different view and they  
18       say well, the examiner must have meant that there were multiple  
19       process steps. And I would submit, your Honor, that as soon as  
20       we are in a place where it is not the examiner said, it's the  
21       examiner must have meant, we are at the land of inference.  
22       When we are in the land of inference, that's not the clarity  
23       and lack of ambiguity that is required for the exercise that  
24       they want to indulge in.

25              When we look at this, the problem with it doesn't

1 end there. It is not simply a problem that they are drawing an  
2 inference. The problem is that the inference they are drawing  
3 is wrong when we look at what the examiner was talking about.  
4 We see why it's wrong.

5 This claim they focus on. This is the ancestor of  
6 claim one of the '755 patent that the time before it had "the  
7 step of" added to it and a few other things were added to it.  
8 And they say well, the examiner must have meant that the  
9 positive process steps in plural were administering, produced  
10 and transformed. That that must have been what he was talking  
11 about.

12 Remember he was comparing two things. When we  
13 look at the comparison, and let's just go to the comparison  
14 here, the other claim that he was looking at has that step, the  
15 administering. What's strikingly absent from it is the  
16 language "produced or transformed". It's just not in this  
17 other claim.

18 So, if you are trying to draw the inference that  
19 he must have been talking about produced and transformed, you  
20 are wrong because those words aren't even one of them. And  
21 ultimately we come back and look at this it says again there is  
22 a gross disparity between what the defendants say in their  
23 brief the examiner said, which is here on slide 45, and what  
24 the examiner actually said, which is on slide 46.

25 This is their editorial, their description of the

1 examiner asserted that the steps of transforming a non human  
2 host with a recombinant DNA molecule and producing a  
3 recombinant polypeptide in a non human host were actually  
4 positive process steps. This is what the examiner actually  
5 said. It doesn't say that at all. Those words just aren't  
6 there.

7 And that's why when we look at their brief, the  
8 only quote is the word "actual" standing by itself and the  
9 words "positive process steps" standing by itself, because that  
10 was the only bit they could excise that would actually support  
11 what they were trying to say.

12 Now, what they also rely on is one single instance  
13 in the 28 years of patent prosecution where Biogen said, with  
14 reference to a single claim, yes, there are positive process  
15 steps in the plural. And, your Honor, we concede that is a  
16 mistake. Our patent prosecution attorney made a mistake in the  
17 writing of that response. He should -- I mean, he shouldn't  
18 have said that. But a mistake, one mistake in 28 years' worth  
19 of patents prosecution is not a basis to construe the claims  
20 down to nothing.

21 Here is what he said. This is the statement.  
22 Claim 31 co-pending application also only a single claim, also  
23 recites those positive process steps. Now right before that,  
24 the lawyer repeats what the examiner said. But the examiner  
25 was comparing multiple claims and it used the plural for that

1 reason.

2 Our belief, your Honor, is that, and I mean I view  
3 the fellow as not having been deposed yet, but what happened  
4 here is he just carried along the plural that he had used here  
5 and in error. He shouldn't have said that, but he did.

6 Now, in the exact same document, this submission  
7 in 1997, what he also did, this was the place where he added  
8 the words "the step of". And in this instance he did it in  
9 the singular and he certainly didn't do it with respect to  
10 transformed or produced.

11 So, what we see here, your Honor, is look, we have  
12 got a document that has part of which deals with the operative  
13 legal language, the statute, if you will. And part of which is  
14 the legislative history, the editorial going along with it. He  
15 meant -- there's a disjunction between them. He made a mistake  
16 in the non operative part of it. He didn't make a mistake in  
17 the operative part of it. And we suggest, your Honor, pretty  
18 much any lawyer knows when you are comparing the operative part  
19 of the legal document and the non operative part, which one  
20 would control. So, this is --

21 THE COURT: So, are you saying that he used  
22 "steps" after the, after claim 32 was canceled?

23 MR. GROOMBRIDGE: Yes. He used steps in reference  
24 here to claim 31. I have to confess I don't actually know  
25 whether he had canceled, at this point, claim 32 because it's



1 in another application. But, I have a map of those two side  
2 by side. But, I think, I am not sure it depends on claim 32,  
3 but I think I would say that it's clear that in this statement  
4 he is talking about a single claim because he uses the plural. And  
5 he shouldn't have said that. It doesn't have multiple steps.

6 But, when he was writing the claim itself, that  
7 very claim, claim 31, he didn't use the plural and he didn't  
8 put in the word "step" when he was talking about transforming.  
9 He was doing this in the same document. It's not like it was a  
10 different application or some years later. This is the exact  
11 same document.

12 So our view of that answers the question. It  
13 would tell you it's a mistake. And, you know, ultimately this  
14 is where we come back to the law is the law, quite plain, that  
15 if you are going to have this situation where you say well, you  
16 have made a disavowal of claim scope, which is the argument  
17 that is made here by the defendants, you have -- in essence  
18 what this is saying is I know what the plain language of the  
19 patent claim says, but I am going to give it a different  
20 meaning because something happened in the patent prosecution  
21 that compels differently.

22 And the federal Circuit has said repeatedly that  
23 principle can only be invoked where the statements are clear  
24 and unmistakable in the patent prosecution where they are not  
25 subject to more than one reasonable interpretation. And if

1           there is ambiguity, you can't invoke this principle. And our,  
2           I guess where we come out, your Honor, is to say look, if the  
3           Court finds itself saying it's not clear what happened in this  
4           patent prosecution, this particular piece of it, that is in  
5           itself the answer to the question.

6                     If it's not clear, then it cannot be a clear and  
7           unmistakable disclaimer, and there is no disclaimer. So,  
8           trolling through all of these things in multiple applications  
9           and trying to figure out who meant what when, it is a perfect  
10          example of why this all exists. There is no express statement  
11         saying oh, no, our claim has multiple steps. And that should  
12         be the end of the story.

13                    THE COURT: And as far as being a mistake by this  
14          attorney, I am sorry, was there something submitted by this  
15          attorney? You said he wasn't deposed.

16                   MR. GROOMBRIDGE: No, he has not yet been  
17          deposed. We certainly expect at that deposition it will  
18          happen. That is not something we have put in the record. We  
19          rely solely on the difference between the language he used in  
20          the claim and that remark in the accompanying description.

21                   THE COURT: And I understand your argument to be  
22          there is no disavowal because at best it could be questionable.

23                   MR. GROOMBRIDGE: Precisely, your Honor. Now,  
24          just to wrap up, I think we have probably covered this more  
25          than sufficiently. But, these ancillary disputes that the

1 parties will attempt to work out is the tense issues is really  
2 the exact same thing as when the transformation is going to  
3 take place.

4 Host, again I gather that it's likely we will be  
5 able to reach an agreement. Our view is it can't be a single  
6 cell, because a single cell can never produce --

7 THE COURT: Is there still a dispute with respect  
8 to the use of a genome?

9 MR. GROOMBRIDGE: I think there possibly is, and  
10 let me explain what that is. Here this is the same language  
11 where we have the present versus the past tense. And here the  
12 genome, the issues -- there may be that there is no dispute.  
13 But for the Court, to explain the reason for the debate is  
14 coming back to our favorite picture of the rather attractive  
15 bacterium here, or if we had a cell from a mammal, it would  
16 have a nucleus. And in the nucleus would be typically what's  
17 referred to as a genome, although it would be chromosomes that  
18 would contain the native DNA of the cell itself.

19 THE COURT: Genome is defined in the patent itself  
20 in the bottom of column eight.

21 MR. GROOMBRIDGE: Yes, it is. The point here is  
22 the inserted DNA doesn't necessarily have to be in a  
23 chromosome. It can be in one of these plasmids. There's loops  
24 just sitting there by itself. And the other issue with it is  
25 that it has to be in the host and it has to be stable so that

1       you can have these multiple generations that is not just going  
2       to be in there and disappear over time.

3               Again, we don't think that there is any technical  
4       disagreement. This is an answer that Dr. Ravetch put in the  
5       deposition. So on a technical level we seem to be all in  
6       agreement. It's a wording concern, stable, non transient, we  
7       can work with. The concept I think we are in agreement.

8               THE COURT: Fine. Understood.

9               MR. GROOMBRIDGE: My conclusion is really your  
10      Honor asked did we find any precedent on this. We couldn't.  
11      And part of the reason --

12              THE COURT: Or negative precedent. Certainly  
13      they are citing to a different line of cases and they are  
14      extracting different arguments from the same material. I  
15      understand that. But, do you see anything that goes either way  
16      and addresses a similar situation to your own.

17              MR. GROOMBRIDGE: We do not see cases on this  
18      situation. Part of the reason we respectfully think that this  
19      type of claim, this claim written in this hierarchy is not an  
20      uncommon thing. Pharmaceutical companies burgeon method of  
21      treatment claims all the time.

22              Here is one from Bayer. Does this look familiar?  
23      A method of treatment, comprising, administering,  
24      therapeutically effective amount of a thing produced by another  
25      thing. It is strikingly similar to this claim and there are

1 other examples in our briefs.

2 But the point, your Honor, is everyone in this  
3 business knows what these claims mean. They are written this  
4 way. This isn't some bizarre foible that Biogen is engaged in.  
5 And ultimately what is going on in this case --

6 THE COURT: You are saying this is a typical  
7 structure for a claim and how it's written.

8 MR. GROOMBRIDGE: Absolutely.

9 THE COURT: What I gather you are going to say is  
10 the only reason we are discussing this in the context of scope  
11 is because it's a very unusual defense.

12 MR. GROOMBRIDGE: That's right, your Honor. And  
13 ordinarily what a defendant would do is say when I have a  
14 disagreement about what "transform" means and I didn't, you  
15 know, when I made this, I didn't transform anything, right, but  
16 that's not the argument that's being made.

17 Sure we transformed it. We just did it in 1981.  
18 That there is no technical difference. What is attempted to be  
19 made here is to create a legal difference to say well, we would  
20 like to interpret this claim with multiple steps so we can  
21 argue not only that they all have to be practiced in a  
22 particular time frame and in the United States, but also that  
23 they all have to be practiced by the same entity.

24 So that if I make the drug and I give it to a  
25 physician who administers it, different people are doing

1 different steps, and again I get a get out of jail free card,  
2 all this is is an attempt to take a claim that is written in a  
3 perfectly normal format for this industry and construe it out  
4 of existence because it can't find, despite the great array of  
5 legal talent who couldn't come up with a better infringement  
6 argument, the case is really about who invented this first, not  
7 whether it was infringed.

8 THE COURT: All right. Thank you very much.

9 Good afternoon.

10 MR. BERL: Good afternoon. David Berl from  
11 Williams & Connolly for defendant Bayer.

12 The short answer to your question is, your Honor,  
13 there is a case. If you will give me ten minutes and indulge  
14 me, I will get there and I will explain it. But the answer to  
15 your question is yes.

16 Let me begin by framing the issue here. There is  
17 no dispute in this case any longer, there was at the beginning,  
18 that the recombinant polypeptide administered in claim one in  
19 fact has to have been made by the process set forth in claim  
20 one. It has to have been produced by a non human host and  
21 transformed by a recombinant DNA molecule . There is no  
22 dispute about that. The only question is who has to do it and  
23 when it has to be done. And the answer to that question is  
24 repeatedly given by fundamentals of patent law.

25 The Supreme Court laid out in 1997 something

1       called the All Elements Rule. This is black letter patent law.  
2       And what it says is that each element contained in the patent  
3       claim is deemed material to defining the scope of the  
4       invention.

5               There are all sorts of different kinds of  
6       limitations. There are process limitations. Those process  
7       limitations define what a defendant must do in order to  
8       infringe a claim. What process it has to undertake. There  
9       are product limitations. And those product limitations define  
10      the product. We have another copy.

11             THE COURT: You know what, I have it here.

12             MR. BERL: You are where I am getting soon.

13             THE COURT: Yeah, I am.

14             MR. BERL: There are product limitations that  
15      define the characteristics of the product that defendants have  
16      to sell.

17             What plaintiff has tried to do here is, what  
18      Biogen has tried to do here is create an all new kind of  
19      limitation. There is nowhere present in the entire history of  
20      the American patent jurisprudence that is some kind of  
21      limitation that has to have been conducted, a process that has  
22      to have been conducted, produced and transformed, but somehow  
23      does not limit what the defendants have to do or when they have  
24      to do it.

25             And we submit to you that the fact that Mr.

1 Groombridge stood here and said that he has no case despite  
2 searching in vain that stands for this proposition, means  
3 something. And what it means is that there is no such thing.  
4 They are trying to create something new because what's old,  
5 what's existing in controlling case law tells you that every  
6 limitation of the claim is material and it must limit what the  
7 defendants do.

8 Let's take a look for a moment at the structure of  
9 the claim, because Mr. Groombridge commented on this for quite  
10 awhile and your Honor asked various questions. The claim  
11 starts with a method of immunomodulation. It is indeed a  
12 method of treatment claim.

13 And with respect to his argument that there was a  
14 restriction requirement and the method of treatment is separate  
15 from the method of making the recombinant polypeptide, that's  
16 right. They are separate concepts. And we are not arguing  
17 here, inconsistent with the restriction requirement, that they  
18 don't have a method of treatment claim. They do. But, it's not  
19 a normal method of treatment claim. It's not like any of the  
20 claims that Mr. Groombridge put up in his brief or just put up  
21 from Bayer that says a method of treating using X even made by  
22 a hybrid donor it says.

23 And the reason is that the claims continues after  
24 where Mr. Groombridge wants you to stop reading. It's a method  
25 of immunomodulation for treating various diseases using a



1 particular composition. So, it's a method using a particular  
2 composition, and that composition is a recombinant polypeptide  
3 that has to be administered. But the claim doesn't stop there.  
4 This is not sweetening pancakes by using maple syrup made from  
5 Vermont. It doesn't just stop at polypeptide made  
6 recombinantly.

7 The claim continues. The claim recites specific  
8 steps. Mr. Groombridge called them steps this morning. He is  
9 comfortable with that, that produced and transformed are steps.  
10 Both experts agreed that they are processes for making a  
11 recombinant polypeptide. They are set forth in the claim  
12 explicitly. This is like a method of sweetening a pancake  
13 using maple syrup made in Vermont wherein you chopped down the  
14 tree, you get the maple out, you purify the maple, you then  
15 extract it, and then you put it into a bottle and pour it on  
16 the bottle (sic).

17 He wants to ignore the fact that the steps are set  
18 forth in the claim. That's not an artifice of defendants or  
19 their legal team. That's something that Biogen put in its  
20 claim. And there is no authority in hundreds of years of case  
21 law for the idea that one can simply erase, as Mr. Groombridge  
22 wants to do for purposes of assessing the defendant's behavior,  
23 language in a claim, language that is the only language that  
24 tells you what a recombinant polypeptide is.

25 It's defined by how it's produced. That language

1 is meaningful. And as a matter of controlling law, it must  
2 limit what the defendants do or what they make. It can't be  
3 neither. It can't be neither a process limitation nor a  
4 product limitation.

5 THE COURT: What do you think of his discussion  
6 that the use of the word "step" here, there was only one  
7 reference to step. It was added in subsequently and it was, in  
8 fact, in the first paragraph.

9 MR. BERL: Sure. Two answers to that. One of  
10 which my colleague Mr. Barsky will get into in more detail,  
11 which is that they call these produced and transformed steps  
12 throughout prosecution and long before the word "step" was ever  
13 added to the step of administering.

14 So, the notion is there is some kind of disparate  
15 treatment of administering being a step. And the other one's  
16 not being a step is belied by the thirty years of prosecution.  
17 But I think the second and more important answer is that the  
18 presence or absence of the word "step" is nowhere suggested by  
19 any court in America to make any difference to the salient  
20 question here which is, is there a process limitation in this  
21 claim.

22 Are there process limitations when it says  
23 produced and transformed? Do you find, in any of the case law,  
24 judges searching for the word "step" or making a decision about  
25 whether something is a process limitation or not, depending on

1 the presence or absence of the word "step". And the answer to  
2 that question is no.

3 THE COURT: Isn't every word important?

4 MR. BERL: Every word is indeed important but the  
5 absence -- the words that are important here is "produced" and  
6 "transformed". And there is an inquiry that courts go through,  
7 and we cited the Gemtron decision and the Abbott decision and  
8 the Amgen decision the courts go through to determine whether  
9 those words like "produced" and "transformed" are process steps  
10 that limit the process that a defendant must carry out in order  
11 to infringe a claim.

12 And so with or without the word "step", those  
13 cases stand for the proposition that words like "produced"  
14 "transformed" are process limitations.

15 THE COURT: So you view this as positive process  
16 steps.

17 MR. BERL: They are process steps.

18 THE COURT: Process steps that need to be  
19 undertaken.

20 MR. BERL: That's right.

21 THE COURT: You do not view them as descriptive  
22 material. You view it as a process and one must undergo the  
23 process in order to be found responsible to be infringing.

24 MR. BERL: That's correct. I think one can call  
25 it descriptive material in the sense that it describes it by

1 the process used to prepare it. That's the only description of  
2 the recombinant polypeptide that is there. It's a process of  
3 producing and transforming. And those are, in fact, process  
4 steps that have to be carried out. That's the only description  
5 of recombinant polypeptide they provide. And under the law  
6 that means that they are process steps that have to be carried  
7 out.

8 Well, we will go to that in a moment. Let's go  
9 back to --

10 THE COURT: In terms of your case law -- and I  
11 know we are going to be getting to the case law and obviously I  
12 am interested in it -- but, do you have any cases that show or  
13 require that the direct infringer has to engage in the process?

14 MR. BERL: Yes, I do. And Abbott talks about  
15 that and Monsanto addresses that question squarely. And if you  
16 would like, I would be happy to go through that with you.

17 Why don't we skip ahead to page 13 of Biogen's  
18 brief. Because what Biogen did in its brief, just to get there  
19 quickly, is Biogen has admitted, which it did not in its first  
20 brief, that the recombinant polypeptide must be one produced by  
21 a non human host and not simply a polypeptide with similar  
22 characteristics.

23 Produced and transformed is not some product  
24 description. It's not defining what characteristics the  
25 polypeptide has. They say it has to have been produced that

1 way. These are indisputably claimed elements that must be met  
2 to prove infringement. And they say if Biogen doesn't contend  
3 that it's the direct infringer of uses of polypeptide made by a  
4 different process, that they infringed. You have to have  
5 carried out that process.

6 And the only question -- and Biogen admitted the  
7 same thing in their ensuing brief -- the only question is who  
8 and when, which Mr. Groombridge said earlier today. Biogen  
9 also makes clear that to the extent that there is a process  
10 step in the claim, the established law is that that step needs  
11 to be performed during the step of the patent. The only  
12 remaining question then is whether these are process steps or  
13 something else.

14 And why does Biogen say they are not process  
15 steps? They say because none of the cases defendants cite  
16 support the proposition that it's the defendant who has to make  
17 it during the term of the patent. They say the cases we cite  
18 are, as they put it, silent on this issue.

19 And let's take a look at the Abbott case for a  
20 moment. Now, Abbott was an en banc decision of the federal  
21 Circuit written by Chief Judge Rader that followed  
22 approximately two decades of a lack of clarity about process  
23 terms in product by process case law.

24 THE COURT: I believe it was en banc as to  
25 certain -- as to certain issues there was a dissent as well.

1 MR. BERL: That's correct, there was a dissent.  
2 But, as to the issues that I will be addressing here which is  
3 what is the effect of process language in a product by process  
4 limitation, that part of the opinion was en banc. And it was  
5 explicitly rendered in order to resolve those ambiguities and  
6 lack of clarity from Atlantic Thermoplastics and other cases.

7 What they held is that process terms in product by  
8 process claims serve as limitations in determining  
9 infringement. In other words, there was a dispute about  
10 whether there was something special about process terms,  
11 process terms like these, that were present in product by  
12 process limitations. Did the usual rules apply, or was it  
13 something different because the process language was simply  
14 describing a product rather than, in a usual process claim,  
15 where you have steps one, two and three.

16 And they said they serve as limitations in  
17 determining infringement. Why? Because where the inventor  
18 chooses to claim the product in terms of the process, that  
19 definition governs the bounds of enforcement of the patent  
20 right. Where the only description of a product of a  
21 recombinant polypeptide is the method used to prepare it, a  
22 Court simply cannot ignore as verbiage the only definition  
23 supplied by the inventor.

24 You can't simply ignore that in the infringement  
25 analysis and suggest that the defendant doesn't have to do it

1 or that the usual rules of process case law, that it has to be  
2 done during the term of the patent, magically disappear.

3 THE COURT: Well, does Abbott address whether a  
4 direct infringer has to be indicated?

5 MR. BERL: Let's take a look at that question  
6 here, because the dissent raises that question. And Judge  
7 Rader, writing for the court, addresses it. And he says that  
8 the dissenting opinion lament the loss of a "right" that has  
9 never existed in practice or precedent.

10 The right to assert a product by process claim  
11 against a defendant who does not practice the express  
12 limitations of the claim, the federal Circuit after two decades  
13 of lack of clarity is telling courts around the country here is  
14 what the law is. Here is how you address it.

15 And in language that couldn't be more clear, I  
16 would submit, is saying there is no right. You have no right  
17 to assert a product by process claim against a defendant. Not  
18 against anyone. Not against something you buy at the  
19 supermarket like maple syrup. Against a defendant who does not  
20 practice the express limitations of the claim.

21 The notion that Abbott is silent on the question  
22 of who must practice the claim, is respectfully not correct.  
23 They address the dissent directly and say there is no right to  
24 sue a defendant who does not practice the express limitations  
25 of the claim. Why? Because those limitations of the claim are

1 process limitations.

2 And all of the rules that apply to process  
3 limitations must be carried out by the defendant during the  
4 term of the patent, applied just the same when it's in what Mr.  
5 Groombridge himself called a product by process sort of  
6 description.

7 But, Abbott is not the only issue or not the only  
8 case that addresses this question, because Abbott addresses  
9 what. Monsanto, we submit, addresses the questions of who and  
10 when. Abbott addresses who; Monsanto addresses who and when.

11 And we submit, your Honor, that this case is on  
12 all fours. You asked several times, is there any claim that  
13 looks like this. Is there any claim with this sort of  
14 structure that answers the question of whether, in a claim like  
15 this where you have a process using a product that's made by a  
16 process, whether those steps of making the product are limited,  
17 whether those have to be carried out by the direct infringer  
18 during the term of the patent.

19 At issue in Monsanto was claim four. Now, claim  
20 four in Monsanto was directed to a process of obtaining progeny  
21 from a fertile transgenic claim. But, unlike most process  
22 claims, it didn't stop there. It didn't say a method of using  
23 a transgenic claim. It had additional language. It says that  
24 that transgenic claim has to have been made in a certain way,  
25 has to have been obtained in a certain way. What is that way?



1 It referred to the process of claim one.

2 As you see this is called a dependent claim  
3 format. Claim four refers to and is dependent upon claim one.  
4 What that means by operation of law, 35 USC 112 paragraph 4, is  
5 that that claim in dependent four, that's claim four in  
6 Monsanto, shall be construed to incorporate by reference all of  
7 the limitations of the claim to which it refers. In other  
8 words, claim one is in claim four, just as if those steps were  
9 written in the claim, as they are in our claim of the '755  
10 patent.

11 So, what exactly do you have in Monsanto and what  
12 do you have here? You have a process. They are right. This  
13 is a process claim. It is not the traditional product by  
14 process claim. It is a process claim. But, it's not a usual  
15 process claim. The Court can see that. It's not simply a  
16 claim that says do steps one, two and three. It uses a  
17 particular product.

18 In Monsanto, it's a process comprising of  
19 obtaining progeny, so that's the process, from a fertile  
20 transgenic plant. A thing that's used in the process. Here  
21 it's a method of treating cancers and other things comprising  
22 administering a composition that is a recombinant polypeptide.

23 In both claims you have a process that uses a  
24 particular product, but again the claim doesn't stop there in  
25 either case. It makes clear in the Biogen claim that that

1 recombinant polypeptide is produced by a given process. And in  
2 the Monsanto claim is obtained by a given process. And with  
3 respect to the tense issue that we keep hearing about, and we  
4 will look at more cases later, it's worth noting that this is  
5 the same tense obtained by, that is produced by in claim one of  
6 the '755 patent.

7 The idea that everything has to be in the present  
8 tense in order to be in a process limitation, the claim, is  
9 frankly refuted by not only Monsanto, but numerous other cases  
10 I will discuss in a moment.

11 There is then a recited process for preparing the  
12 product used in the process. In the Biogen claim it's produced  
13 by non human host, transformed by a recombinant DNA molecule.  
14 And in the Monsanto case it is obtained by a process that has  
15 three steps.

16 So again, as Mr. Groombridge put it, this is like  
17 a process of using a product made by a process. That's exactly  
18 what we have in the Biogen case. He is right to phrase it that  
19 way. That's exactly what we have in Monsanto, a process using  
20 a product, a fertile transgenic plant, obtained or made by a  
21 particular process.

22 THE COURT: But wouldn't you believe there is a  
23 distinction in Monsanto based upon the fact that it refers to a  
24 process, specifically refers to a process? Whereas the other is  
25 the method of administering.

1 MR. BERL: Well, this --

2 THE COURT: I mean its second word is "process".

3 MR. BERL: You are looking at this process?

4 THE COURT: Yes, right at the top of your screen.

5 MR. BERL: Right. Method and process are used  
6 synonymously in patent law. This is a method of treatment or  
7 process of treating. Under 35 USC 101, it lays out that one  
8 can have various kinds of inventions, one of them is a product  
9 invention, for example, composition of matter. Another is a  
10 process.

11 THE COURT: You are saying method and process are  
12 used interchangeably,

13 MR. BERL: Yes.

14 THE COURT: And one should draw no distinction  
15 between them.

16 MR. BERL: There is no distinction between those  
17 two words. This is the same thing as a method of  
18 administering. And Biogen and Mr. Groombridge agree that this  
19 is process for immunomodulation and that requires a step of  
20 administering recombinant polypeptide. The dispute is as to  
21 whether these likewise are required.

22 THE COURT: Although if you move down to the next  
23 section of highlighted language, obtaining progeny from a  
24 fertile transgenic plant under the Monsanto column, that is  
25 actually getting something out of the process. The other,

1 Biogen section, is administering something to the patient,  
2 which plaintiffs are alleging is the entirety of what this is  
3 about, administering something therapeutic to the patient.

4 MR. BERL: Right. And here the obtaining  
5 progeny, that is what one does in the process. So, that's  
6 obtaining something else. The progeny are kind of later  
7 downstream children plants, so to speak, in the Monsanto case.

8 So, in the Biogen process, it's a method of  
9 treating by giving someone a recombinant polypeptide. That's  
10 what you do in the process, you administer the recombinant  
11 polypeptide.

12 In this case, you also do something in the process  
13 using a product. You take that transgenic plant and you do  
14 something with it. Obviously you don't administer a plant to a  
15 person. They are doing something else. You get children from  
16 the plant.

17 THE COURT: Then we are down to the next obtained  
18 by and then we are back to the process and how you produce it.

19 MR. BERL: Again, so this is not how someone  
20 obtains the progeny. This is how you got the thing, the  
21 fertile transgenic plant that you are using in the process.

22 THE COURT: Whereas I think you can draw a  
23 distinction, you can say in the Biogen section column, you  
24 know, it's claim language, you know, perhaps it is descriptive.  
25 Perhaps it could refer back to the actual stuff that's getting

1            injected.

2                        Whereas if you look at the Monsanto language  
3            that's highlighted up on the screen, I don't see how you could  
4            say in any way, shape or form that that is descriptive because  
5            it's actually providing steps for a process.

6                        MR. BERL: Well, it's not providing steps for the  
7            claims process. These are not the steps of obtaining the  
8            progeny, which is the claim process. It's reciting the steps  
9            of how you obtain the transgenic plant.

10                      So this is, how did you get, how did you obtain or  
11            make what you used in the process. And you obtain this  
12            transgenic plant by doing bombarding, identifying or  
13            regenerating.

14                      Biogen is answering the exact same questions. How  
15            do you get this recombinant polypeptide that you used in the  
16            process. How did you obtain it? You obtained it by producing a  
17            non human host transformed by a recombinant DNA molecule.

18                      In both cases it's describing, if you want to use  
19            the word "descriptive", it's describing what you used in the  
20            process by the method used to produce it or obtain it. And in  
21            that sense it's an exact parallel to both cases.

22                      To be clear, this process is of how one obtains  
23            the fertile transgenic plant is not the same process of  
24            obtaining progeny. The claim is to obtain progeny from a  
25            fertile transgenic plant that itself was obtained by the

1 following three step process, just as the Biogen claim is to  
2 administering a recombinant polypeptide that itself was  
3 obtained by the produced and transformed process.

4 It's interesting, though, it's not only the claims  
5 that are parallel in the Monsanto case and in our case. It's  
6 the arguments. Respectfully, this sounds exactly like what we  
7 heard twenty minutes ago, that Monsanto said claim four is, by  
8 itself, a single step process. There is one step, we heard  
9 over and over twenty minutes ago. It's just the administering  
10 step. They say here it's just the process of obtaining  
11 progeny. It's a single step process.

12 And the rest of the claim they said in Monsanto,  
13 that's just reciting a product that's made by an earlier  
14 process claim. It doesn't matter whether you bought it at the  
15 supermarket or how you got it. It's just a starting material.  
16 Claim four is just claiming the process of using the starting  
17 material that you got by the steps in claim one.

18 That's what Mr. Groombridge is trying to say here.  
19 He is basically saying, listen, this is a single step, a method  
20 of immunomodulation by therapeutically administering  
21 recombinant polypeptide that was made that way. The  
22 recombinant polypeptide is just a product. It's just a  
23 starting material that's used in the claim. And it doesn't  
24 matter who made it, when it was made, as long as it was made  
25 and you can pick it up at the supermarket, that's good enough

1 for government work.

2 And that's the exact argument that they were  
3 making in Monsanto. It's just a starting material that was  
4 made by that process, not a normal claim. And what they tried  
5 to say is listen, this is like a product by process claim.  
6 They said, this is just like saying that the product has been  
7 made by a given process. That doesn't mean that you have to  
8 carry it out during the term of the patent or that the  
9 defendant has to do it.

10 And the federal Circuit dismissed this summarily  
11 and squarely. The Court finds this argument irrelevant to the  
12 resolution of this issue. Even if claim four is a product by  
13 process claim, or more aptly a process of using a product made  
14 by a given process, which is how Mr. Groombridge termed our  
15 claim a few minutes ago, Syngenta, not anyone, Syngenta, the  
16 defendant in the case, would still have to perform the steps of  
17 the process of claim one to infringe the claim. The defendant  
18 has to carry it out in order to infringe the claim.

19 Now, Monsanto, the patentee in that case, just as  
20 the patentee here, basically said it doesn't matter that some  
21 of the language in the claim about how the product used in the  
22 process was made, weren't carried out during the terms of the  
23 patent. They said that's just a trick. We heard that  
24 twenty minutes ago too, that they arranged their affairs so  
25 they wouldn't have to practice all those claim steps during the

1 terms of the patent. They did it earlier so they can get out  
2 of jail.

3 And the federal Circuit looked at that argument  
4 and said, what do we think about the argument that you can  
5 infringe, even though what you made, in that case the  
6 transgenic plant, in our case the steps of transforming and  
7 obtaining that recombinant polypeptide, was obtained before  
8 patent issuance.

9 The federal Circuit said that is inconsistent with  
10 the basic rule for infringement. For infringement of a process  
11 invention, all of the steps must be performed either as claimed  
12 or by an equivalent. And 271(a) requires use without  
13 authority during the term of the patent. And the consequence  
14 of that was clear as it is here.

15 This case lacks any basis for infringement under  
16 claim one because those steps occurred before patent issuance.  
17 Because the product that was used in the process was made  
18 before patent issuance. And because the patentee, just like  
19 the patentee here, chose to include that language in the claim  
20 by depending on claim one there by writing it into their claim,  
21 in our case you are done. Go home. Infringement is not  
22 possible .

23 One of the first three steps of the claim process  
24 are performed before the issuance of the patent. That is black  
25 letter law that simply is controlling, and we submit on, on all



1       fours. When you have a process that uses a product made by a  
2       process, the steps of making that process, whether they are the  
3       steps in Monsanto or the steps of producing and transforming to  
4       prepare the recombinant polypeptide here, must be performed by  
5       the defendant and must be performed during the term of the  
6       patent.

7               Now, Mr. Groombridge made quite a bit of hay about  
8       the plain language of the claim and the fact that, in his view,  
9       it supports the idea that these are not process steps laid out  
10      in claim one, that produced and transformed are not process  
11      steps. And, in particular, he said that they are in the past  
12      tense. That they are not using the same kind of language as  
13      administering there in the past tense. And therefore that  
14      somehow answers the question about when they must be performed.

15             We just saw the Monsanto case which says "obtained  
16      by". Here are four other cases all controlling authority,  
17      produced from, from the Supreme Court. It's such identical  
18      language to what we have here. A hundred forty years ago there  
19      was no problem with the Supreme Court saying produced from  
20      requires, as a matter of infringement, that it be produced in a  
21      certain way. This is a process limitation. The tense doesn't  
22      matter. Formed by, in In Re: Hughes.

23             Similar language, how are you forming it? How are  
24      you making it? Obtainable by in the Abbott case, the en banc  
25      case that we just looked at. Purified from, how you are

1 getting it? How are you making it? Using terms like this in  
2 the past tense does not convey, in any way, that the terms of  
3 the patent is irrelevant. That the defendant need not carry  
4 that out during the term of the patent. In fact, we saw in  
5 Monsanto the rules apply.

6 The question for the Court is are these process  
7 limitations, or are they product limitations. And we submit  
8 that question has been answered. It's been answered by the  
9 fact that Biogen agrees that the process has to have been  
10 carried out. It's answered by the fact that there is no  
11 dispute that the language confers no structural definition on  
12 the recombinant polypeptide. It defines it by a product or by  
13 a process used to prepare it rather than a structural  
14 characteristic.

15 The last argument that Biogen made, as I heard it  
16 in this sense, is that there is no language that says "the step  
17 of" and that somehow that should be determinative as to whether  
18 there is a step.

19 Meaningfully in their brief and today, Biogen  
20 cites not a scintilla of authority standing for the proposition  
21 that the absence or presence of the word "step" is somehow  
22 relevant to the determination of whether something is a process  
23 limitation. Again, the same cases produced from, obtainable  
24 by, it doesn't say obtainable by the step of acidifying a  
25 solution in Abbott. It need not say that.

1           The question is, is this defining a process or is  
2           it defining a product. The tense doesn't matter. The presence  
3           or absence of the word "step" doesn't matter. Is it defining a  
4           product or is it defining a process? That's what Gemtron says.  
5           That's what all these cases say.

6           So, we then asked the defendant or Biogen's  
7           experts -- because at the beginning of the briefing they said  
8           this is describing somehow some structural feature of the  
9           recombinant polypeptide. So we asked them, does this language  
10          only limit the process by which it's prepared. And they said  
11          that's right. We said well, what if one were to remove that  
12          language from the claim? Is it correct that you wouldn't  
13          change or enlarge the structural scope of the recombinant  
14          polypeptide using the applicable definition in the patent? The  
15          answer was, I believe that's correct.

16          It could not be more clear in this case that this  
17          language produced by a non human host transformed by a  
18          recombinant DNA molecule is defining the process by which the  
19          recombinant polypeptide is prepared, nothing more, nothing  
20          less. Not end product characteristics, just the process.

21          And for a hundred forty years the law has been  
22          clear about what that means. Every patent for a product or  
23          composition must identify it so it can be recognized aside from  
24          the description of the process for making it. And if it's not,  
25          nothing can be held to infringe it which is not made by that

1 process.

2 And I heard some argument today, I think, from Mr.  
3 Groombridge suggesting somehow that Dr. Fiers made something  
4 different. He made something that could be used to treat,  
5 whereas everyone else around the world was making something  
6 that wouldn't be used for treatment. He was somehow making  
7 something different. He had something different in his bottle.

8 We asked the Biogen expert about that too. Does  
9 the patent provide any description of a structural difference  
10 between native beta interferon -- and that's what existed  
11 before, that's what's in our bodies -- and recombinant beta  
12 interferon produced by mammalian host cells within the scope of  
13 the claim. Certainly not, he says. The patent doesn't discuss  
14 anything made by mammalian cells, let alone the structure of  
15 something. Yes.

16 So, we asked him what about the pharmacological  
17 differences? What about the differences in being able to treat  
18 patients? Which Mr. Groombridge was now saying was a great  
19 breakthrough by Dr. Fiers. Any disclosure whatsoever between  
20 the interferon beta that Dr. Fiers tells you how to produce and  
21 the native one that's as old as the hills that has been in our  
22 bodies since time and memorial? No.

23 The expert was confused. I am misunderstanding  
24 your question. He certainly doesn't claim it, because he  
25 hasn't even produced it and tested it. So I don't see how he

1           could possibly claim it. The idea that we heard here today  
2           that Dr. Fiers somehow advanced the art of treating patients  
3           with beta interferon, respectfully, is refuted by the entire  
4           record in this case.

5                       He did not identify any property that it had  
6           pharmacologically that would help him treat patients. He  
7           didn't identify any clinical study that he did that showed that  
8           what he treated patients. He never even tested the  
9           pharmacological properties, according to Biogen's own expert.

10                      We asked, he couldn't have known about any of  
11           those differences because he never actually tested it. Right.  
12           And just in case they were going to say this was unclear, we  
13           said just to be clear, the skilled artisan reading this  
14           disclosure would not understand that Dr. Fiers was in  
15           possession of any pharmacological or other difference between  
16           recombinant beta interferon and natives.

17                      He didn't know of any structural difference and  
18           their expert said I think that's correct. There is no  
19           definition anywhere in the patent of a structural difference  
20           between beta interferon made by Dr. Fiers' method and beta  
21           interferon in the prior art. There is no structural  
22           description. All that's there is the description of the  
23           process used to make it.

24                      And where there is a description of process used  
25           to make it, the law is clear about what that means. It's a

1 process limitation. And where you have a process limitation,  
2 the answer is, once again clear, it has to be carried out  
3 during the term of the patent and by the accused infringer.

4 Now, two final points. First, Mr. Groombridge  
5 went through the specifications and talked about the fact that  
6 in his view there is a significant amount of information there  
7 which they, by the way, didn't rely on in their briefing at  
8 all, relating to treatment and the method of treatment.

9 In reality, the law is clear that you look at the  
10 specification and see what construction aligns with the  
11 specification. What the specification reveals, as we explained  
12 in our declaration and brief, is that the entire patent is  
13 about a process of making beta interferon. They want to ignore  
14 that process.

15 I don't know what we did this morning. We spent  
16 two hours and you heard three presentations about these  
17 processes of producing and transforming that they now say  
18 somehow they aren't even part of the claim. That this is all  
19 about a method of treatment.

20 Everything we have done here is inconsistent with  
21 that, and the specification is inconsistent with that. Ninety  
22 eight percent of the specification is about how you make and  
23 use the stuff. And the tests that they pointed to in their  
24 presentation are tests directed to whether you know you got  
25 beta interferon, not tests directed to a method of treatment.

1           With respect to the method of treatment, all the  
2           patent says in column two is, you do what you did in prior art.  
3           We are relying on the prior art. And just to confirm that we  
4           were reading correctly, we asked their expert, In your review  
5           of the patent, did you find any clinical use for beta  
6           interferon that Dr. Fiers purports to have invented? No.

7           Did you find any novel treatment regimen? Any new  
8           way to treat a disease? No. Any novel composition, any new  
9           thing that he had in his hands that could treat a disease? No.  
10          How about any novel diseases that weren't in the prior art that  
11          he claims can be treated by beta interferon? No. No. No. No.

12          Dr. Fiers didn't claim to have invented anything  
13          with respect to a method of treatment. And the idea that this  
14          claim should be turned on its head so that its sole step in the  
15          claim is treating rather than what this patent is really about,  
16          which is making beta interferon, is consistent with the  
17          specification and frankly inconsistent with the entire record  
18          in this case.

19          Now, there's one other argument that bears  
20          addressing and that is the absurdity argument which we heard in  
21          the briefs and Mr. Groombridge discussed at length, that  
22          somehow the result that defendants urge here is absurd.

23          Let me start with a factual question. It's not  
24          absurd at all. The prior art contained an example, at least  
25          one example, of an institution that both made beta interferon

1 and used it to treat. That was the basis upon which Dr. Fiers  
2 was building. That was the state of the art at the time.

3 It's an article by Carter that we discussed again  
4 with their expert. We asked them, this is describing a program  
5 at Rosswell Park Memorial Institute in New York. And he said  
6 yes. And that institute is both generating interferon beta  
7 and administering the treatment of diseases, correct? Answer:  
8 That's right. And that was the part of the prior art relating  
9 to the treatment of the disease using beta interferon, correct?  
10 Answer: Yes.

11 So the idea that this is absurd, that there is no  
12 way that they would have gotten a claim that somehow can't be  
13 infringed, is belied by the fact that the people who were doing  
14 the treatment of beta interferon at the time, it was sometimes  
15 just one institution doing both the making and the treatment.

16 So it's not an absurd result at all. But, the  
17 really issue here is it's not for the Court to decide what an  
18 absurd result is. The result is whatever the federal Circuit  
19 law mandates with respect to the infringement inquiry that's  
20 coming next.

21 The federal Circuit has been clear over and over.  
22 We cited the Smith-Klein case where Judge Posner sitting by  
23 designation attempted to construe the claim to avoid an absurd  
24 result. And the federal Circuit said no, we are reversing you.  
25 You can't do that. This is not a policy-driven inquiry. And



1 we cite case after case.

2 The BMC Software case is an example where the  
3 patentee came in and said if you construe this claim like the  
4 defendants urge, no one could infringe. It's non infringeable.  
5 It's a ridiculous, absurd claim. And the answer from the  
6 federal Circuit time after time after time after time is who  
7 cares. You look at the claim language. You look at the  
8 controlling law. And the infringement inquiry is the  
9 infringement inquiry. It's not a policy-driven inquiry. And  
10 it's perfectly acceptable to have a claim that's not infringed.

11 In fact, it's no coincidence that they have a  
12 claim that's not infringed. You heard today that this patent  
13 was in prosecution for 30 years. That's not a coincidence.  
14 They tried to get a claim to the DNA sequence. They failed.  
15 They tried to get a claim to the polypeptide. They failed.  
16 They tried to get a claim for a method of treating using beta  
17 interferon without reciting process steps for making beta  
18 interferon. They couldn't do that either because that's in a  
19 prior art.

20 THE COURT: So, are you saying that this is the  
21 best they could accomplish and it's no wonder that it would  
22 have a negative result?

23 MR. BERL: Yes. That's exactly right. They  
24 tried everything in the federal Circuit and the patent office  
25 told them time after time, sorry, that's someone else's

1 invention. You can't have that. So what they end up with is  
2 this mongrel claim where they have got a method of treating  
3 using a recombinant polypeptide prepared by a given method.  
4 The kind of claim that you got in Monsanto and Monsanto said  
5 listen, that's not fair. They are not going to infringe that.  
6 The federal Circuit said sorry, you are out of luck. I'm  
7 sorry, they are infringing. Those are the rules and you have  
8 to live by them.

9 We didn't draft this claim. We heard ourselves  
10 and our legal team blamed for coming up with this idea. This  
11 wasn't our claim. The master of this claim for 30 years was  
12 Biogen. And the federal Circuit said in BMC Software when the  
13 patentee made the exact same complaint, here you're giving me a  
14 claim that wasn't infringed. The federal Circuit said, we're  
15 not doing that to you. You did that to yourself.

16 Proper draftsmanship, the federal Circuit said,  
17 could have avoided this result by simply reciting, as every  
18 method in the claim, something that one person would do. So,  
19 if they wanted a claim like that, they could have tried to get  
20 it.

21 The fact of the matter is they couldn't get it  
22 because that's someone else's invention. The fact that they  
23 couldn't get it is not our fault and is not a reason to set  
24 aside a century of controlling authority about what it means to  
25 define claim by a process.

1                   Finally, with respect to an absurd result, the  
2                   result that I would submit is absurd here is the idea that  
3                   liability should be triggered against the defendants. When you  
4                   heard today that the transformation that they conducted, that  
5                   Serono conducted, occurred in 1981. Absent that  
6                   transformation, if that transformation didn't occur, according  
7                   to Biogen itself, there is no liability here. That  
8                   transformation has to have occurred.

9                   They are asserting that whenever the  
10                  transformation occurs, whether it's 1981 or the year their  
11                  patent expires in 2026, defendants are somehow liable as a  
12                  result of that transformation.

13                 THE COURT: Well, they do have a conceptual  
14                  difference. They are saying this is a one-step claim. And the  
15                  one step is the method of administering this. Whenever it was  
16                  done, whenever it was transformed, so be it. That's their  
17                  argument.

18                 MR. BERL: But, if it's really a one-step claim,  
19                  then they wouldn't need to prove that it was ever transformed.

20                 THE COURT: They are saying it's described by  
21                  transformed and produced.

22                 MR. BERL: And that those are prerequisites in  
23                  order to prove infringement.

24                 THE COURT: To describe what the substance is that  
25                  is being administered. As best as I can discern of the

1 argument, and I hope I am doing justice to it, but that's the  
2 way I heard it.

3 MR. BERL: That's the way I heard the argument  
4 too. The problem with that is that the only way that describes  
5 the recombinant polypeptide that we just saw is by the method  
6 of making it. It doesn't describe it in any structural or  
7 physical sense.

8 THE COURT: I mean I understand there is a  
9 fundamental difference in terms of how you view the language  
10 and the implications thereof.

11 MR. BERL: And therefore because it's described  
12 by the process, that process, by their own admission, has to  
13 have been carried out. They dispute who and when. But, they  
14 agree, they have to come into court one day and show that  
15 someone did this transformation sometime. And that sometime,  
16 according to them, could be anytime between when they filed  
17 their patent application in 1980 or maybe even before, and  
18 2026.

19 That is a 46-year term during which people have  
20 liability as a result of the transformation they did. Where  
21 absent that transformation, no liability would ensue.

22 THE COURT: Are we making an absurd result  
23 argument?

24 MR. BERL: That would be an absurd result. I am  
25 not suggesting you should consider it after I just told you the

1 federal Circuit doesn't allow you to do that, but as a  
2 practical matter that is every bit as absurd as that scenario  
3 that Biogen suggests.

4 THE COURT: I am not suggesting that that is what  
5 I am concluding. I am just simply asking --

6 MR. BERL: That is what I am suggesting. So,  
7 with that, if you have any questions, I would be happy to  
8 answer them.

9 THE COURT: I am fine. But what I am going to  
10 suggest is we take a five-minute break. We will give our court  
11 reporter a chance to rest and then we will come back. After we  
12 come back, what will we have left?

13 MR. BARSKY: I am going to briefly address the  
14 history, the file history, the prosecution history, and I  
15 believe then we are finished.

16 THE COURT: Okay. Then what I would like to do  
17 is just a short give and take on some points that I view as  
18 meeting that and then I think we should be wrapping up.

19 MR. BARSKY: Sure.

20 THE COURT: Thank you.

21 (Whereupon a short recess was taken.)

22 THE COURT: I just want to follow-up with one  
23 question for counsel before we start in. With respect to the  
24 last argument we were making in terms of what was left for the  
25 plaintiff to proceed on, after the five claims had been severed

1 by the patent office, they sent them back, indicated that they  
2 should be presented separately. So why do you think the patent  
3 office would permit the claims to be severed if there was no  
4 support for a method of treatment claim?

5 MR. BERL: The question of whether there was  
6 support for method of treatment claim in the specification is  
7 separate from the question of whether a method of treatment  
8 claim is, in fact, patentable.

9 The law is clear that a restriction requirement is  
10 not a rejection on the merits. In other words, it's not the  
11 patent office evaluating the requirement for patentability like  
12 written description and novelty and non obviousness and saying,  
13 you know what, you can get a claim on this.

14 It's simply a statement you have multiple  
15 inventions, you have to split it.

16 THE COURT: But, by asking that to be separated,  
17 wouldn't that indicate that they believe that there were five  
18 separate inventions to proceed upon?

19 MR. BERL: That there were five different classes  
20 of invention and this is done, in part, based on what the  
21 search terms are.

22 THE COURT: So, if Dr. Taniguchi, if he had the  
23 DNA aspect of it and the fifth claim was the method claim and  
24 it was method of administration, you know, plaintiff here is  
25 saying well that's what was left, that is what they proceeded

1 with, that's what they have here and they have a step of  
2 administering and they are describing the substance that is  
3 administered.

4 And I understand that defendants have a very  
5 different view of, you know, what we are proceeding on. But,  
6 if the claims were, in fact, requested by the patent office to  
7 be severed into separate inventions with the fifth one  
8 apparently being a method of treatment claim, why would this  
9 not be a method of treatment? Why would you perceive it to be  
10 something more than that invoking the process?

11 MR. BERL: Okay. And I think the quick answer to  
12 that is if the claim ended after the orange on the board, if  
13 the claim ended after recombinant polypeptide, then they would  
14 have a typical method of treatment claim.

15 THE COURT: I'm sorry, if it ended after  
16 polypeptide?

17 MR. BERL: Yes, because then they would have a  
18 method of treatment claim and they do have a method of  
19 treatment claim. But in that case it would be a method of  
20 treatment of cancer.

21 THE COURT: Your argument is any descriptive  
22 language reduces their claim as opposed to assists them by  
23 providing a description as to what the substance is, which is  
24 their argument.

25 MR. BERL: That's the all elements rule. Every

1 limitation of the claim narrows the claim. Every time you add  
2 language, you are narrowing the scope of the claim. The  
3 question is how.

4 THE COURT: How would they -- and this is just a  
5 background question, how would a infringer, someone who is in  
6 this area, know what to administer if it was just ending at  
7 polypeptide? How would you know what the substance is to be  
8 protected by the patent?

9 MR. BERL: Well, they could have said beta  
10 interferon polypeptide or they --

11 THE COURT: So then it couldn't have just ended  
12 at recombinant polypeptide.

13 MR. BERL: It could have ended at recombinant  
14 polypeptide and then had A what is intended A which describes  
15 the DNA sequences that the recombinant DNA molecule would have.  
16 That would have been a method of treatment claim that would  
17 have said I am claiming treating cancer by using a recombinant  
18 polypeptide that was made from a DNA sequence basically with  
19 these oh.

20 THE COURT: If he says that was made then we are  
21 talking about produced and perhaps even transformed.

22 MR. BERL: They could have alternatively said the  
23 recombinant polypeptide with the following sequence and had the  
24 sequence in Figure 4 of the claim. That would have defined  
25 structurally what they were administering.



1           Rather, what they did was they defined it by the  
2           process used to make it with explicit process limitations.

3           THE COURT:    Then back to the fact that the patent  
4           office did actually accept this and it did end up with five  
5           separate inventions, what is deemed to be inventions to begin  
6           with, it severed them off and it left this as a method claim.

7           MR. BERL:    And it is a method of treatment, but  
8           it's a method of treatment that is limited by the process by  
9           which the recombinant polypeptide is prepared.

10          The patent office did not say you can have any  
11          method of treatment claim in the world.  They just said that's  
12          a different class of inventions because we searched for it  
13          differently and it's a different kind of invention.

14          THE COURT:    But, if the DNA was already protected  
15          by a separate patent, then how could this be anything else  
16          beside descriptive matter?

17          MR. BERL:    Well, because they are not claiming the  
18          DNA, per se, or they are not even claiming per se here is a  
19          method of making polypeptide by producing and transforming.  
20          That would be a separate claim.  They could have a claim that's  
21          a process of preparing a recombinant polypeptide by producing  
22          from a non human host and transforming with the recombinant DNA  
23          molecule.  That's not this claim.

24          What they have done here is it's a method of  
25          treatment using recombinant polypeptide made in a certain way.

1 So they have, in a sense, combined different parts of what they  
2 claim to have invented into a single claim that has all these  
3 aspects.

4 And according to the federal Circuit, the Supreme  
5 Court, you can't ignore any of those aspects in construing the  
6 claim. They are all limited by, in this case, the process  
7 steps that they recite in the claim.

8 THE COURT: Okay. Thank you.

9 Go right ahead.

10 MR. BARSKY: May I just address a couple of  
11 points to that, your Honor?

12 THE COURT: Sure.

13 MR. BARSKY: And that is this, I think in the  
14 course of this discussion, you know, unfortunately I think far  
15 too much weight is being given to this restriction requirement  
16 that issued in 1982.

17 And the reason for it is is that all the patent  
18 office was saying at the time, and Mr. Groombridge put up  
19 Section 121, 35 USC, and all that Section 121 says is that once  
20 you divide applications into separate claimed inventions -- and  
21 that's what the patent office, by the way, was saying in 1982.  
22 Not that there were five separate inventions as the slides  
23 stated.

24 THE COURT: But, in any event, it's my  
25 understanding the doctor obtained the DNA patent. And right

1 now Biogen is currently paying a license with respect to that.  
2 So, the DNA was covered by a separate patent. So, the DNA  
3 wouldn't thereafter or shouldn't be covered by the same, I  
4 mean, the same DNA description here shouldn't be the same one  
5 contained in the patent on the DNA that the doctor obtained.

6 MR. BARSKY: After the restriction requirement,  
7 your Honor, what was clear was that once Dr. Taniguchi was  
8 entitled to a patent on the DNA, no one else would be entitled  
9 to a patent on the DNA.

10 THE COURT: Well, then my question is, wouldn't  
11 that support that the DNA reference in here is descriptive  
12 rather than a process? That's the question.

13 MR. BARSKY: If by DNA -- I am not certain  
14 exactly what your Honor is referring to by the DNA reference.  
15 But, if your Honor is referring to these inserts here --

16 THE COURT: I am referring to the entirety of the  
17 produced by a non human host transformed by a recombinant DNA  
18 molecule comprised of a DNA sequence selecting in the group  
19 consisting of A, B and so on.

20 MR. BARSKY: Yes. So, if Dr. Taniguchi were  
21 entitled -- and no one knew this in 1982, of course. This was  
22 long before that interference proceeding ever began, this  
23 restriction requirement. That the interference didn't occur  
24 until the late 1980s. It wasn't finished until the early  
25 1990s.

1                   So, at the time, 1982, this was just within a year  
2                   of the United States application being filed. All the patent  
3                   office said was look, you're claiming five different  
4                   classifications of inventions. And for the patent office, we  
5                   have to divide those out because you can only pursue one  
6                   classification in each application, a very common practice.

7                   THE COURT: Understood. But, what I am working  
8                   with now is with the DNA aspect of that, took on its own life  
9                   through a separate patent not possessed by the plaintiff here.  
10                  Because Dr. Taniguchi received the DNA patent.

11                 MR. BARSKY: Ultimately, yes. That's correct.

12                 THE COURT: Okay. So then what was left, there  
13                 were five separate potential inventions that were addressed by  
14                 the patent office.

15                 MR. BARSKY: And there is no question that Dr.  
16                 Fiers was entitled to pursue, and he did receive a method of  
17                 treatment claim. And we are not arguing, and never have, that  
18                 Dr. Fiers and Biogen did not receive a method of treatment  
19                 claim. We can see it in black and white in claim one of the  
20                 '755 patent.

21                 THE COURT: You are saying it would not be  
22                 inconsistent if he were to receive a method of treatment claim  
23                 and that Dr. Taniguchi received the DNA patent.

24                 MR. BARSKY: Yes. And it would also not be  
25                 inconsistent, in fact, the reason it took 30 years is because

1 at the end, we know he couldn't get the DNA claim. We know he  
2 couldn't get the protein claim. We know he couldn't get simply  
3 the method of producing this recombinant DNA, excuse me, this  
4 recombinant protein. And so what he ended up with at the end  
5 was this amalgam that Mr. Groombridge says is routine and  
6 typical and claims are written like this all the time. It's  
7 exactly the opposite in my experience, your Honor.

8 What we ended up with is this amalgam where he  
9 gets a method of treatment claim with a particular composition  
10 that is made in accordance with a specified process which  
11 process must be carried out in order to practice the invention.

12 And the answer to the question that your Honor  
13 asked just moments ago is simply this: That he could not have  
14 received this claim without building into the limitations and  
15 building into the scope, the very process by which that  
16 recombinant DNA -- recombinant protein is produced and  
17 expressed.

18 And my comments are going to be directed to the  
19 prosecution history. And one of the things we see in the  
20 prosecution history, your Honor, is that Biogen justified its  
21 entitlement to a patent in this case by distinguishing Biogen's  
22 claimed invention over the prior art by those very processes by  
23 pointing to the fact that it was those processes which entitled  
24 Biogen to an invention.

25 And that loops me back to your Honor's very first

1 question at the beginning of this Markman cycle which is with  
2 respect to transformation and production, what are the  
3 guideposts that the Court can look to to determine whether  
4 transformation and production are processes that are required  
5 by the claim. And that is precisely what I want to direct all  
6 of my comments to, your Honor.

7 THE COURT: Okay.

8 MR. BARSKY: Because the answer to that question  
9 is first we have already talked about the claim language and  
10 the specification. But if the Court is looking for guideposts  
11 and additional guideposts, here they are. The Court can look  
12 to how does Biogen understand its invention.

13 Back in the day when it was still seeking to  
14 procure a patent from the patent office, how did the examiner  
15 who spent a career working with Biogen's patent applications,  
16 understand that claim? What did Biogen tell the patent office  
17 about what was required by its claims when it was seeking to  
18 receive a patent? And how does that compare to Biogen's  
19 position today, now that they have a patent? And what was  
20 Biogen's position before, when it was seeking to persuade the  
21 examiner to allow a patent before billions of dollars in sales  
22 of Avon ex and Betaseron and Rebif were at issue. And before  
23 Biogen had any incentive to spin the scope of its claim.

24 And that is why, your Honor, those are the  
25 guideposts that the Court can look to and that I am going to

1 address now. Because what this claim construction hearing  
2 really is about ultimately, your Honor, is fundamental  
3 fairness. It's the principle that, as we will shortly show the  
4 Court, it's the principle that if you obtain a patent by  
5 representing to the patent office --

6 THE COURT: I know you are going to argue that you  
7 should be held to what you represented. And I certainly  
8 understand your argument from the briefing and I have studied  
9 the prosecution history as best as I can study that.

10 So, if you have certain guideposts for me that are  
11 specific based on the prosecution history, I would think that  
12 would be very important.

13 MR. BARSKY: And I will get right to that then,  
14 your Honor. But, I did want to start just by reiterating, this  
15 is why we look to the file history. And, in other words, it  
16 is to look at how the inventor understood the invention and how  
17 the patent office understood the invention. And what was  
18 represented about the scope, the very issue your Honor has  
19 acknowledged is now at issue. What was said about the scope at  
20 that time.

21 So, that's why I say this is about fundamental  
22 fairness. And so we all know what the issue is here. The  
23 issue is whether claim one of the '755 patent -- and before I  
24 go any further, may I approach, your Honor?

25 THE COURT: Sure.

1 MR. BARSKY: I have prepared just a book of  
2 excerpts. I have prepared just a book of some of the excerpts  
3 from the file history that are already in the record. And then  
4 I am going to be referring to during or that I may refer to  
5 during my comments. But, because we are using the power point  
6 presentation, I want to make sure that if the Court wants to  
7 see a context of anything that we are pointing to, or more of  
8 the document, it has the ability to do that.

9 THE COURT: That sounds good. Thank you.

10 MR. BARSKY: In order to make it as confusing as  
11 possible for the Court, the exhibit numbers don't correlate  
12 necessarily with the tab numbers.

13 THE COURT: I see. Okay.

14 MR. BARSKY: So, I will be indicating what the,  
15 both the exhibit and tab numbers are for the record.

16 So, we know what the issue is here, and that is,  
17 does claim one of the '755 patent recite multiple process  
18 steps, or does it recite a single process step. I take it your  
19 Honor is well versed, well versed in what that issue is. So I  
20 don't need to recapitulate it. But, I will say this, that if  
21 Biogen is right and the only process step in claim one is the  
22 step of administering, then the litmus test for whether this is  
23 accurate or not is to see whether Biogen ever referred to a  
24 single claim as having multiple process steps.

25 Because your Honor knows from the study of the --



1 THE COURT: Well, I think plaintiffs have already  
2 said they had one instance of that and the attorney made an  
3 error in this regard.

4 MR. BARSKY: Yes. That's right. In fact, that's  
5 not actually accurate and I am going to explain why  
6 momentarily.

7 But, the specific issue arose -- arises out of, in  
8 the first instance, out of this office action from  
9 September 23, 1996, your Honor. And this is tab four, exhibit  
10 -- tab four in the book, exhibit 7. Here is what Mr.  
11 Groombridge said about what the examiner was doing here in  
12 making this comment that the positive process steps, I think he  
13 said, in this group of claims are identical to the positive  
14 process steps in this group of claims.

15 And I wrote it down because I wanted to make sure  
16 I got it right. What he said was that the examiner was saying  
17 here, that you can't get two patents on the same thing. We all  
18 agree that the examiner was referring to two separate  
19 co-pending applications, the 723 application and the 930  
20 application. The application which ultimately matured into the  
21 '755 patent.

22 The examiner went on to talk about the two  
23 processes in those claims, and pointed out that the actual  
24 process steps of the two sets of the claims are the same.

25 Now, here are the two claims that the examiner was

1 talking about when the examiner said that they have the  
2 identical positive process steps and which we have obviously  
3 indicated is a reference to the production step and the  
4 transformation step as being identical. Biogen argues no, it's  
5 the administration step that occurs at the very beginning.

6 If we go back, your Honor, so, what Biogen's  
7 argument is is that the reference to positive process steps is  
8 to multiple occurrences of a single step in co-pending claims.  
9 Multiple occurrences of the administration step in co-pending  
10 claims.

11 Let's take a look at what Biogen's response was.  
12 And you saw this earlier because Biogen showed it to you during  
13 its presentation.

14 Biogen, referring to a single claim, claim 31 of  
15 the 658 application, says that claim also recites those  
16 positive process steps. This was the remark that Mr.  
17 Groombridge said was a mistake. It was a one off. It was a  
18 one time problem that never repeated itself. And we are going  
19 to test that in a moment.

20 But, I want to just put this in context, your  
21 Honor, because the examiner was looking at the 723 and 930 and  
22 saying the positive process steps in those two co-pending  
23 applications are identical.

24 Biogen comes back and says well, actually, your  
25 Honor, we have a third co-pending application, all right.

1 That's the 658 application. And that third -- and in that  
2 third application claim 31 recites those positive process  
3 steps. So let's take a look at that claim, claim 31 of the 658  
4 application. And you will see that it has the identical  
5 production, the transformation elements, as well as the  
6 administration element.

7 And at this time, and you heard this before but I  
8 will reiterate it because it is an important point, the  
9 language, the step of administering, was not in this claim.

10 THE COURT: It was added. I understand.

11 MR. BARSKY: And what's significant about that,  
12 your Honor, is this: That long before that phrase, "the step  
13 of" was added to this claim, the examiner, we saw the  
14 examiner's comments moments ago, the examiner looked at this  
15 claim and saw positive process steps. Didn't need to see the  
16 word "step of" in order to find positive process steps in this  
17 claim, which is incompatible with Biogen's repeated insistence  
18 in its briefs about how the only step of anywhere in the '755  
19 claims is the step of administering and therefore that's the  
20 only step. So, we will come back to this in a moment.

21 If, your Honor, by the way, I mentioned the -- I'm  
22 sorry, that was tab 5, Exhibit 8. And I was just looking, your  
23 Honor, I believe it was, hold on one second, I believe it was  
24 page 2. Excuse me. It's page 3.

25 If we flip the page, the first, first was this

1 reference then to the 658, the single claim from the 658  
2 application that Biogen represented had those positive process  
3 steps, which we contend are clearly the production and  
4 transformation steps.

5 If you turn the page in that exhibit, your Honor,  
6 you will see that Biogen then directs its attention, and this  
7 is at the top of page 4, internal page 4.

8 THE COURT: Oh, yes, I have it.

9 MR. BARSKY: Okay. So, this is on the top of  
10 page 4, tab 5, Exhibit 8. Sorry.

11 THE COURT: No, I'm good with it.

12 MR. BARSKY: Now Biogen is talking not about the  
13 658 application and claim 31 of the 658 application. Now  
14 Biogen is talking about amended claim 31 of the 930 application  
15 and amended claim 31 of the 930 application issued as claim one  
16 of the 755 patent, the very subject of this claim construction  
17 proceeding. I just want to make sure your Honor is --

18 THE COURT: I am with you.

19 MR. BARSKY: All right. Thank you. What is  
20 recited here, your Honor, is that Biogen says about that single  
21 claim, once again, that it has multiple, positive process  
22 steps. It says that the preamble of amended claim 31 now  
23 recites the several intended uses for the positive process  
24 steps claimed.

25 So this wasn't one instance of a reference to

1 claim 31 of the 658 application. This wasn't a mistake or an  
2 oversight that we are arguing should somehow warp the  
3 construction of this claim and the determination by this Court  
4 as to whether or not this claim requires these processes. This  
5 was a very clear and consistent reference to single claims,  
6 single Biogen claims as having multiple positive process steps.

7 And the significance, once again, is this was the  
8 claim, this is the claim that is now at issue, so the claim 31  
9 now claim one of the 755 patent. So here is what it looked  
10 like at the time, claim 31 of the 930 application. See the  
11 production and the transformation steps. And here is the  
12 office action that the examiner was referring to, once again,  
13 about claims 31 through 34.

14 Now, one of the things that Mr. Groombridge  
15 referred to, and this is in slide 41. I am afraid I am going  
16 to need to refer you, your Honor, to the Biogen book for a  
17 second.

18 THE COURT: To which one?

19 MR. BARSKY: This is the Biogen book and it is  
20 slide 41. Let's start here. I would put it up if I could.  
21 I'm sorry.

22 THE COURT: No, that's okay. I have it right  
23 here. All right.

24 MR. BARSKY: So what struck me about this was not  
25 the excerpt from the file history, so much as it is the bullet

1 point title, because this was a claim that was made in the  
2 briefs. But, the claim made by Biogen was that there was some  
3 amendment that was made and that was the adding of the language  
4 or the phrase "the step of" to administer.

5 And this suggestion has been made that that  
6 amendment was made quote to make clear that the only method  
7 step is the step of administering to a patient. There is  
8 nothing in this file history that says anything like that  
9 anywhere. And, in fact, as we saw, the examiner reviewed this  
10 as being, as being steps regardless of whether the language  
11 "the step of" was in the claim or not. And there is nothing to  
12 suggest anything about the intent or the reason even for adding  
13 "the step of" administering.

14 And, in fact, administering, as Mr. Groombridge  
15 himself just said, is just as much a step when it says simply  
16 administering as when it says the step of administering.

17 So, this amendment had no impact whatsoever on the  
18 substance or the scope of his claim. And to suggest that there  
19 was some intent here, that the reason that amendment was made  
20 was to make clear that this was the only step, first of all, it  
21 doesn't say the only step anywhere. And second, there is no  
22 support for that. And, in fact, as we just saw, Biogen looked  
23 at its own claims and saw multiple positive process steps in  
24 single claims.

25 THE COURT: I just want to stop you one moment.

1 It says defendant's exhibit 7 over there. What should that be  
2 in my packet here?

3 MR. BARSKY: Defendant's exhibit 7 is tab four.  
4 And I can direct you to where that language occurred on page 3  
5 right underneath the blocked quote.

6 THE COURT: I have got it. So now just to  
7 reiterate, is it two places or is it more places where you are  
8 stating that the plaintiffs referred to steps versus step when  
9 there was only one claim left?

10 MR. BARSKY: No, there are actually multiple times  
11 when they use the phrase "positive process steps". What I am  
12 saying --

13 THE COURT: If there's multiple claims, I  
14 understand where that could come from. But if there are not  
15 multiple claims, are you alleging twice or more than that?

16 MR. BARSKY: That occurred twice, your Honor.

17 THE COURT: And there's a March 24, 1997  
18 instance. And what is the other one?

19 MR. BARSKY: It's in the same exact paper.

20 THE COURT: It's the same paper, so it's one  
21 document?

22 MR. BARSKY: Yes, it is, your Honor. So there  
23 were two instances in which Biogen referred to a single claim  
24 as having multiple positive process steps.

25 Now, you heard Mr. Groombridge address what Biogen

1 contends is this anomaly because claim 32 only has the  
2 administration step and there is no reference to production or  
3 -- explicit reference to production or transformation in claim  
4 32.

5 First of all, there is no anomaly there, and I  
6 will explain why in a second. But, more importantly, any  
7 ambiguity that may arise by the fact that the examiner here --  
8 and this is the language that gives rise to Biogen's claim.  
9 And your Honor will see that claim 32 is not called out  
10 individually obviously. It's just among the set of four claims  
11 that the examiner treated in one fell swoop as claims 31 to 34.

12 But, any ambiguity that may arise out of that is  
13 swept away by the fact that Biogen, in referring to single  
14 claims, referred to single claims as having multiple, positive  
15 process steps. And Biogen in its papers -- today was the first  
16 time we heard any explanation as to claim 31 of the 658  
17 application -- it didn't address that issue at all in its  
18 papers. It ignored that and it went to what it claimed was  
19 this anomaly by the examiner's statement.

20 Today was the first time Biogen, to my  
21 recollection, ever took the position that what happened here  
22 was a mistake by the prosecuting attorney. So, instead of  
23 addressing Biogen's own statements about single claims having  
24 multiple, positive process steps, they point to this supposed  
25 anomaly.



1                   And here is why there is no anomaly at all, your  
2                   Honor. Claims 31 to 34 are a basket of interrelated claims.  
3                   And this is where patent law and prosecution gets really fun,  
4                   your Honor, because the claims 33 and 34 are dependent claims.  
5                   And they depend, meaning that they descend from or that they  
6                   incorporate all the elements of two independent claims. Each  
7                   of them does that.

8                   So, claim 33 depends alternatively from claim 31  
9                   or claim 32. And claim 34 depends alternatively from claim 31  
10                  or claim 32. So, you have these cross links between the  
11                  claims.

12                  The examiner, as we saw, chose to treat that  
13                  basket of interrelated claims in one fell swoop. Could he  
14                  have been more precise and pointed out in two pages rather than  
15                  in that one sentence what steps were in each of those claims?  
16                  Sure. But, the fact that he didn't is not -- doesn't raise any  
17                  ambiguity or anomaly. That's not, as I say, dispelled by  
18                  Biogen's own reference to its own claims as individual claims  
19                  as having multiple, positive process steps.

20                  Now, I want to address an issue that Mr.  
21                  Groombridge raised towards the end of his presentation. He  
22                  argued that what we are really arguing about here is a  
23                  disavowal of claim scope or a waiver, and that there is a  
24                  higher evidentiary standard that we should meet in terms of the  
25                  clarity that is required in those circumstances, and that's

1 exactly wrong.

2 Disavowal arises when you have a claim that is  
3 directed to a specific scope. And the argument is --

4 THE COURT: You can go ahead.

5 MR. BARSKY: Disavowal arises when a claim has a  
6 specific scope. And the argument is that the applicant in  
7 seeking the patent from the patent office, disavowed part of  
8 that scope. So the scope may be a genus of compounds, or the  
9 scope may be elements one through ten, or the scope may be a  
10 class of proteins. And on its face the argument would be, the  
11 disavowal argument is yes, the claim on its face extends to all  
12 of those things, but you disavowed a part of it in order to get  
13 your patent. So you are actually only entitled to less.

14 That's what disavowal is here. We are not arguing  
15 disavowal here. We are not arguing waiver or anything like  
16 that. What we are arguing is that the plain meaning of this  
17 claim, from the language of the claim itself, to the  
18 specification, to the file history, all support a single  
19 construction --

20 THE COURT: I understand your point.

21 MR. BARSKY: Okay. Two points, your Honor.

22 The federal Circuit -- or two cases. I am just  
23 very quickly. The federal Circuit rejected exactly the  
24 argument that Biogen makes here in the 800 Adept case that is  
25 cited in our papers.

1 THE COURT: Can you give me the full cite just  
2 once again?

3 MR. BARSKY: May I come back to that, your Honor?

4 THE COURT: Sure. Unless someone else has it.

5 MR. BARSKY: We will get it, your Honor.

6 MR. BERL: It's 539 F 3d 1354.

7 THE COURT: Thank you.

8 MR. BARSKY: Don't go away, Mr. Berl. The  
9 Schindler Elevator case.

10 MR. BERL: 593 F 3d 1275.

11 THE COURT: Thank you.

12 MR. BARSKY: Thank you. And so in both of those  
13 cases the federal Circuit rejected exactly the kind of argument  
14 that Biogen is making here about this disavowal notion. And it  
15 rejected the suggestion that you can't hold the patentee to the  
16 patentee's words during the prosecution. Because in those  
17 cases, as here, the argument was not that there was a disavowal  
18 of scope, but rather that the prosecution history was being  
19 used to support the claim construction demanded by the plain  
20 language of the claims and the specification.

21 That's the single point that I wanted to make on  
22 that. Thank you for the time.

23 THE COURT: Thank you. Thank you very much.

24 MR. BARSKY: I'm sorry, I am sorry if I suggested  
25 I was done and I know we have been going --

1 THE COURT: What else do we have because we are  
2 going way over time.

3 MR. BARSKY: I aware of that, your Honor. Let me  
4 try and be very quick and get very quickly through this because  
5 this is very important.

6 THE COURT: Sure. Go ahead.

7 MR. BARSKY: I don't want to give it short  
8 shrift.

9 This wasn't the only time, Mr. Groombridge  
10 suggested, that this was just this 1995 to 1997 chapter in the  
11 history of the interchange between Biogen and the patent  
12 office.

13 In fact, your Honor, as late as 2004, here is  
14 what the examiner said. And this is the examiner, by the way,  
15 your Honor, who has, as I mentioned earlier, specially trained,  
16 has spent much of his career working with the Biogen  
17 applications. And if the Court is looking for someone with no  
18 ax to grind and with no dog in this fight who has enormous  
19 intimacy with what Biogen's patent applications are directed  
20 to, I would suggest that the examiner is just one such person.

21 And here is what the examiner said in 2004. This  
22 was in a chapter of the history.

23 THE COURT: Hold one moment. I see that there's  
24 exhibit 12 which translates to tab 6 but I am not on tab 6  
25 right now and I don't see that.

1 MR. BARSKY: It is tab 6 and it's the page 3,  
2 your Honor. I'm sorry, I should have waited for your Honor to  
3 get that.

4 THE COURT: I see. You know what, a different  
5 section is highlighted. Okay. I'm there.

6 MR. BARSKY: Here is this examiner with enormous  
7 familiarity with the Biogen file history and the patent  
8 application, no ax to grind. And what he does in May of 2004  
9 is he looks at the claim that the Court is now charged with  
10 construing, or at least the predecessor of that claim, claim 31  
11 of the 930 application, and says that claim is -- I'm going to  
12 reject that claim under a section of the Patent Act called  
13 102(g).

14 In the course of doing that, your Honor, here is  
15 how the examiner characterizes those claims. The examiner says  
16 that the claims require the use of the DNA to produce the  
17 polypeptides.

18 Your Honor, we talked about scope earlier. At the  
19 very beginning of this proceeding your Honor brought up the  
20 issue of scope and we have all been talking about it. That's  
21 precisely what the examiner is talking about here. And he is  
22 not saying, he is not saying, as Biogen has suggested, that the  
23 claims require the use of a recombinant polypeptide, a product  
24 having certain characteristics and here they are. He is saying  
25 that the claims require a process, the use of the DNA to

1 produce the polypeptides. Clear as day.

2 This was never the subject of any substantive  
3 response by Biogen. They suggested at one point that we took  
4 this out of context because we didn't quote what it was  
5 responsive to. But, they never suggested there was any  
6 ambiguity about this, nor could there be.

7 And, your Honor, Biogen did respond to this.  
8 They did say well, 102(g) is inappropriate to invalidate those  
9 claims because these two referenced patents, these two Sugano  
10 patents that are referenced in that first sentence, are not  
11 102(g) prior art. And you know what, it turns out they were  
12 right. It wasn't prior art.

13 But they never once said to Mr. Martinell (ph) you  
14 know, we find it surprising that after 25 years you don't  
15 understand the scope of our claims. Our claims don't require  
16 the use of the DNA to produce the polypeptides. They never  
17 said that and they had many opportunities to do so.

18 I will just skip forward very quickly because  
19 there was a number of exchanges after this time just in this  
20 one chapter of the file history on the 102(g) rejection. And  
21 these are both office actions and responses by Biogen. Never  
22 once did Biogen say that you've got our claims wrong. They  
23 don't require the use of the DNA to produce the recombinant  
24 polypeptide.

25 THE COURT: Okay. This chart or page appears

1           where?

2                   MR. BARSKY: This is in our file -- this is not in  
3           the booklet.

4                   THE COURT: It's not in my packet.

5                   MR. BARSKY: No. The booklet is just the file  
6           history excerpts, your Honor.

7                   So, just to sum up this point, the examiner said  
8           the claims require the use of the DNA to produce the  
9           polypeptides.

10                  THE COURT: You know what, what you can do is  
11           tomorrow when you can get it together, if you want to just send  
12           us, overnight those, that would be fine if you that. I have  
13           those sheets, that hard copy sheets, or is it contained in one  
14           of these other compilations? Your presentation.

15                  MR. BARSKY: Oh, yes.

16                  THE COURT: Is it contained in one of these other  
17           folders? No?

18                  MR. BARSKY: Are you talking about this slide,  
19           your Honor?

20                  THE COURT: Yes, that slide.

21                  MR. BARSKY: This slide is in the spiral book of  
22           our presentation.

23                  THE COURT: So what you can do is anything that I  
24           haven't received from you, you can print a copy and send it to  
25           us.

1 MR. BARSKY: Sure.

2 THE COURT: Okay?

3 MR. BARSKY: Absolutely. Did your Honor want me  
4 to go on then?

5 THE COURT: Sure. I am just saying I have  
6 everything else from all the slides, but this is first section  
7 that I don't believe I have in hard copy, correct? Because I  
8 received everything else.

9 MR. BARSKY: No, we did give the Court a copy.

10 THE COURT: I just asked that question. Because  
11 these are the ones -- I just said are they contained here.

12 MR. BARSKY: I'm sorry I confused the Court. My  
13 fault, your Honor. The booklet is the book of file history  
14 excerpts.

15 THE COURT: This is in?

16 MR. BARSKY: The slide is in the color power  
17 point printout. I'm sorry.

18 THE COURT: That's all right. Okay. I'm ready.

19 MR. BARSKY: I'm sorry, your Honor.

20 THE COURT: Not a problem.

21 MR. BARSKY: So, we are urging, your Honor, in  
22 summary, a construction of this claim that is faithful to the  
23 plain language, the specification and the file history. We  
24 have looked at the language, and the Court is familiar with the  
25 language of the claim. We have looked at the file history and



1        what the examiner has said with respect to the claims requiring  
2        the use of the DNA to produce the polypeptides.

3                And that was a reference, your Honor, just to  
4        close the loop on this discussion, that was the reference to  
5        claim 31 as it was then pending and had obviously those  
6        identical production and transformation elements.

7                And that claim then issued as claim one of the  
8        '755 patent with those same production and transformation  
9        elements. The same steps that we believe the examiner was  
10       clearly referring to as when he indicated that the claims  
11       require the use of the DNA to produce the polypeptides.

12               So, I have done that already. I am going to skip  
13       over -- well, I will point out this, there was a reason why  
14       Biogen did not, did not ever have to tell the patent office or  
15       the examiner, you have got my claims wrong. And that is  
16       because it was completely consistent with their point of view.

17               We saw that in the references to the single claims  
18       including claim one of the 930 as having multiple positive  
19       process steps. But, we also saw it when Biogen summarized what  
20       it considered to be its invention in the 930 application long  
21       after the restructure requirement 15 years earlier.

22               What Biogen said in this supplemental information  
23       disclosure statement to the PTO, and this is exhibit 13 which  
24       is tab seven, your Honor. And it's at page 25. I know it's a  
25       long document.

1 THE COURT: I have it.

2 MR. BARSKY: What Biogen said at the time -- and  
3 again, this is in order -- this is as part of a discussion, as  
4 your Honor can see, in which the applicant Biogen is trying to  
5 distinguish what it did from the prior art. And what the  
6 applicant said was that we, Biogen, won the race to express  
7 active recombinant interferon beta polypeptide, the subject  
8 matter of the instant application.

9 So, just to finish up then, we are proposing a  
10 claim construction here that is faithful to the claim language.  
11 That's faithful to the specification or title of the patent,  
12 the technical field of the invention where it's described, in  
13 part, as being a process for producing human fibro active  
14 interferon like polypeptides. And you saw this, actually you  
15 saw this section earlier during Biogen's presentation, as I  
16 recall. And what it points out is that the processes of this  
17 invention --

18 THE COURT: I'm sorry, this is from what  
19 document?

20 MR. BARSKY: I'm sorry, I should have pointed out.  
21 This is exhibit 1. It's a '755 patent. It's exhibit 1.  
22 That's in the book of excerpts as well, your Honor.

23 THE COURT: Okay.

24 MR. BARSKY: Same is true, obviously, of this  
25 document. And so here Biogen is saying that the processes of

1 this invention may be used in the production of polypeptides.

2 The disclosure section of the invention in this  
3 portion is talking about how a host is transformed. That's an  
4 obvious typo. The host is transformed to produce a  
5 polypeptide.

6 So we have already walked through the file  
7 history. I don't need to recapitulate that. But we obviously  
8 saw two instances in which Biogen referred to single claims as  
9 having multiple, positive process steps. We saw the examiner  
10 saying very explicitly that the claims require the use of the  
11 DNA to produce the polypeptides.

12 And so I will end where I started, your Honor,  
13 this claim construction hearing really is about fundamental  
14 fairness. Because it is so clear that Biogen told the patent  
15 office one thing when it was trying to distinguish the prior  
16 art, when it was trying to procure the patent, and it is  
17 telling this Court an entirely different thing now, now that  
18 there is so much at stake.

19 So, our point and the punch line of all of this is  
20 that we are just simply asking the Court to say about this  
21 claim what the examiner said about the claim and what Biogen  
22 said about the claim before this patent issue, and that is that  
23 it has multiple process steps. And those steps include the use  
24 of the DNA, for example, in the words of the examiner, to  
25 produce the recombinant polypeptides.

1                   And I thank the Court for its endurance and for  
2                   hearing me out. I have lost my client. I have missed my  
3                   plane. But, I am glad I had the opportunity to finish what I  
4                   started. So, thank you very much.

5                   THE COURT: Sounds good. Thank you. You can  
6                   certainly respond.

7                   Is anyone else desiring to speak on the part of  
8                   the defendants? No. Okay. There is a lot in there to respond  
9                   to.

10                  MR. GROOMBRIDGE: Right. And I will be happy to  
11                  respond to anything the Court feels might be helpful.

12                  THE COURT: Why don't you begin and I will ask a  
13                  question of couple.

14                  MR. GROOMBRIDGE: So, the file history. In all  
15                  these 28 years he says there are two instances where he said  
16                  Biogen used the term "steps" plural. The second one is not a  
17                  mistake. All right. It's in that same document, the March 24,  
18                  1997 response. That one is correct. And the reason why it's  
19                  correct is because this may perhaps be helpful in this fog of  
20                  what was going on there.

21                  What the state of play at the time were there were  
22                  three parallel applications, the applications that Mr. Barsky  
23                  mentioned. And the difference between them -- the only  
24                  difference, and this is the issue that the examiner raised, he  
25                  said you have got three parallel applications and one of them

1 is directed to immunomodulation.

2 THE COURT: So we are back to the March 24,  
3 1997 --

4 MR. GROOMBRIDGE: Exactly. Yes. So, at that time  
5 the three applications, they are on three different diseases.  
6 Immunomodulation is one then pending application. And treating  
7 viruses is a second then pending application. And treating  
8 cancer is a third then pending application.

9 The examiner said, well, you can't have three  
10 patents because the only difference -- and this was the whole  
11 point of the examiner's raising this -- the only difference is  
12 the intended use. The step of administering to the patient in  
13 each of these three applications is the same. And it's only a  
14 mental difference that the physician might have with why I am  
15 actually giving you this drug. What disease I think you are  
16 suffering from. And that means there is no difference in the  
17 actual methods. And that was what spawned this dialogue. And  
18 the examiner said that about two of these applications. Biogen  
19 pointed out that well it was actually three of them, and that  
20 was where the mistake was made.

21 But then on the next page of the document, what  
22 Biogen says is the examiner fixed this problem. What we are  
23 doing is combining all three diseases into one single claim.  
24 So we are just going to have one claim that talks about  
25 immunomodulation treating viruses and treating cancer. And

1       that is why the Biogen word says the steps plural have been  
2       combined into one claim because he is taking the step from each  
3       of the three patent applications and merging it together. And  
4       that's why he uses the word plural, the steps plural. And  
5       that's why it is not a mistake. It's correct.

6               There's only one place where it was a mistake and  
7       it was on the page before that. And the Court will appreciate,  
8       given what we have heard just over the past two hours, of how  
9       complicated all of this is and how easy it would be to make  
10      such a mistake.

11             THE COURT: You are saying on page 3, that one is  
12      actually accurate?

13             MR. GROOMBRIDGE: That's exactly what I am saying,  
14      your Honor. Now, the --

15             THE COURT: I mean, not accurate, but it was just  
16      referred to as --

17             MR. GROOMBRIDGE: It was intentional and it's not  
18      wrong because he is referring to three different steps that  
19      have been combined together into a single claim.

20             THE COURT: So page 3 is the only one you are  
21      actually saying is incorrect.

22             MR. GROOMBRIDGE: Again my colleague says, tells  
23      me on page 4. Since he has the benefit of the document in  
24      front of him, I will inure to him.

25             THE COURT: At the bottom of three and the top of

1 four?

2 MR. GROOMBRIDGE: The correct statement is on  
3 page 4 and the incorrect statement is on page 3.

4 THE COURT: Okay.

5 MR. GROOMBRIDGE: The fact remains it's one  
6 statement. Now, in 28 years of the prosecution, I mean frankly  
7 if it where two statements, they are in the same document. I  
8 don't think it changes qualitatively.

9 You know, Mr. Barsky said that well, they are not  
10 arguing clear disavowal. On page 12 of defendant's responsive  
11 brief it says, however, even if the Court were to find that the  
12 plain meaning of the tort, the claim supported Biogen's  
13 position, Biogen's statements constitute a clear disavowal of  
14 its present argument that produced and transformed the terms in  
15 our process step.

16 So, you know, look, it's totally okay for your  
17 positions to evolve, but we had understood them to at least  
18 until just now to be arguing disavowal. Whether it's a  
19 disavowal or not, that doesn't change the legal principle which  
20 is the one on our slide 18 citing Phillips saying where the  
21 federal Circuit says the prosecution history often lacks the  
22 clarity of the specification and is therefore less useful.

23 And we submit, your Honor, that what we have just  
24 heard is pretty much a good demonstration of lack of clarity. I  
25 mean I don't mean to be facetious, but I sort of hold this up

1 as exhibit A as lack of clarity.

2 It's a complicated thing. It's susceptible to a  
3 number of interpretations. It's not a basis on which to say I  
4 am going to whittle back the scope of this claim to nothing.  
5 Now, the two other things from the patent prosecution that I  
6 would like to refer to -- before we leave that exchange, it was  
7 in order to cure this ambiguity that the Biogen prosecution  
8 lawyer put in the word "the step" precisely to make it clear.  
9 All right. And we submit, your Honor, that's what he did.  
10 That's why that language was added.

11 Fast forwarding to May of 2004, May 28, 2004,  
12 where the examiner says I am going to reject you over the DNA  
13 -- and this, your Honor, by the way, is the exact issue that  
14 the Court raised about well, if Taniguchi got a patent on the  
15 DNA, how can, you know, what is the implication of that. And  
16 this was debated and ultimately this very accomplished patent  
17 examiner agreed, I don't think that is a problem. That's how  
18 come this patent issued.

19 But, the language that was called out there where  
20 the examiner says the claims require the use of DNA to produce  
21 the polypeptide used in the claimed method that we don't -- I  
22 don't think it was disputed with that. That language was  
23 silent about who would do it and when it would be done. All it  
24 refers to is the word "produced" in that claim. And no one  
25 disagrees with this that that's required. The disagreement is



1 over who has to do it and when. So we would just say it's  
2 not -- it doesn't cut one way or the other.

3 The last item from the patent prosecution that Mr.  
4 Barsky raised was that -- it's tab seven in your Honor's book  
5 at page 25, the lengthy document, where he says well, back in  
6 the 1990s, Biogen says we won the race. And I would say, yes,  
7 that's exactly right. That language is precisely what we are  
8 talking about here because Mr. Barsky read this but he didn't  
9 emphasize it, the word "active". We won the race to express  
10 active interferon beta, and that's precisely why we, Biogen,  
11 get the medical treatment patent.

12 And the, I guess I would go back. The only other  
13 things that I would want to touch on here, your Honor, are when  
14 Mr. Berl was speaking he talked at some length about the Abbott  
15 and Monsanto cases. And the Abbott case merely stands for the  
16 unremarkable proposition, which I think I mentioned in my  
17 opening remarks, that a product by process claim is only met if  
18 the product that's alleged to infringe was made by the process.  
19 And there has been some outlying case law, some of it authored  
20 by the judges of the federal circuit who dissented, to which  
21 the Court alluded, that called that principle into question.

22 We are not relying on that. We are not  
23 challenging it. We never would. We are not saying that a  
24 dissent was right in that case. All that Abbott says is that  
25 product by process claims apply to a product made by the same

1 process.

2 Even if this is a product by process claim, the  
3 composition part of it, we are happy to take our lumps on that.  
4 We will prove up that this was made by that process. It just  
5 doesn't have to be done during the term of the patent or in the  
6 United States. And there's nothing in Abbott that's contrary  
7 to that.

8 Monsanto, you know, the real issue with Monsanto  
9 is that the wording of the claim is different. That Monsanto,  
10 the claim at issue specifically has four steps called out  
11 expressly. And what the federal Circuit held in that, it  
12 rejected Monsanto's argument that it was a single step method.  
13 Precisely because it said when you prosecuted this claim, you  
14 merged these two together and you told the patent office that  
15 this, your amendment that did that, was directed to matters of  
16 form not effecting the scope of the invention.

17 Now you are coming in front of us and saying,  
18 trying to depart from that, that this was then and is now a  
19 four step method. That's why it's got four steps laid out in  
20 the claim that was shown in the Biogen demonstrative. The word  
21 "process" appears twice and the word "steps" appears or "step"  
22 and then alluded to multiple steps we find in the present  
23 tense.

24 The language of that claim is fundamentally  
25 different from the language of this claim. And thus the

1 response from Biogen is this, it's unremarkable in a legal  
2 instrument using different words. It effects a different  
3 result. Just like putting "not" in front of that changes the  
4 meaning of that. So Monsanto is not on point here.

5 I will wrap up, unless the Court has questions,  
6 with one final observation that Mr. Berl said well, there was  
7 one time back in 1978 when some institution Roswell Park, one  
8 institution actually made, there was no DNA that was before  
9 these inventions. So they were purifying it from human cells.

10 But, I think what we can take from that is the  
11 defendants have gone out and scoured and they can't find a  
12 single instance in history in which anyone has done something  
13 that they think would be covered by this claim. And that, in  
14 our view, your Honor, highlights exactly what the problem is.  
15 If the Court has any questions --

16 THE COURT: I am good. Thank you very much.  
17 Any response? Anything that anyone wants to add ?

18 MR. BARSKY: I will just add one thing very  
19 briefly, your Honor.

20 THE COURT: Sure.

21 MR. BARSKY: That is to follow-up on what Mr.  
22 Groombridge just said about that 2004 statement. The examiner,  
23 which if I understood the comment correctly, was that he had no  
24 issue with the examiner saying that the claims require the use  
25 of the DNA to produce recombinant polypeptide.

1 I think we can make a lot of progress today in  
2 this hearing if we stipulate, and we so offer right now, that  
3 the claims of the '755 patent require, as the examiner said, as  
4 Mr. Groombridge just said he has no issue with, require the use  
5 of the DNA to produce the polypeptide. I believe that would  
6 substantially advance the cause of this hearing and potentially  
7 resolve some of the issues.

8 THE COURT: Okay.

9 MR. BERL: Could I clarify one issue? Are you  
10 done, Mr. Barsky, or no?

11 THE COURT: Should we get a response to that?

12 MR. GROOMBRIDGE: Your Honor, what we are saying,  
13 if I may, I will walk across to the claim here, is this: The  
14 claim, by its very words, says in non human -- a recombinant  
15 polypeptide produced by a non human host transformed by a  
16 recombinant DNA molecule. That's a claim limitation.

17 We will stipulate that this is the method in which  
18 you have to employ a polypeptide made using recombinant  
19 technology in which you've manipulated a non human host to put  
20 in foreign DNA. We are fine with that. That's not going to  
21 get us anywhere because that's exactly what the defendants do,  
22 right.

23 What they are trying to say is you have to do that  
24 in the United States and during the term of the patent. And  
25 that we will not stipulate to.

1 MR. BARSKY: I haven't addressed any of that. I  
2 actually just offered a stipulation to what the examiner said.  
3 And I think the answer we just got was no from Biogen.

4 THE COURT: I think that's right. In terms of  
5 any stipulations, though, to be serious about it, we do have  
6 issues with respect to the terms anyway. So you folks are  
7 going to be speaking. And if there are issues that come to  
8 light after this, after people are contemplating what went on  
9 today, if you can reach common ground on anything, obviously  
10 that is of assistance to the Court.

11 MR. BERL: Two very quick points, your Honor, in  
12 one minute. One of them is responsive to the question that you  
13 asked. If Taniguchi got the patent to DNA sequences, is that  
14 somehow inconsistent with this process being part of claim one.  
15 The answer to that is no.

16 If someone gets a claim to a new kind of tire with  
17 vulcanized rubber and they patent that, someone else can come  
18 along and patent a method of driving a car very fast using that  
19 vulcanized rubber tire as a limitation of that claim. There is  
20 no conflict there between the two. This is just an element or  
21 a limitation of the broader claim. The broader claim recites a  
22 method.

23 The second thing is with respect to Monsanto. The  
24 distinction I just heard is that in Monsanto, it recites a  
25 second process, that is a process of obtaining the transgenic

1 plant that's used in the process. There has been no dispute  
2 here, as far as I have heard today from the tutorials, to the  
3 moment I am speaking now, that producing from a non human host  
4 transformed by a recombinant DNA molecule is in fact a process  
5 of preparing a recombinant polypeptide.

6 The dispute is whether it's limited. But, it's  
7 clearly a process. It's clearly recited in the claim. So this  
8 claim likewise recites a method for immunomodulation by  
9 administering, and then another process, producing a  
10 recombinant polypeptide from a non human host transformed by a  
11 recombinant DNA molecule. In that sense, like every other, we  
12 submit, this claim is just like the Monsanto claim addressed by  
13 the federal Circuit and should be treated in the same way.  
14 Thank you, your Honor.

15 THE COURT: Thank you. Anyone else? Anything  
16 else? No.

17 Okay. Well, we are going to go off the record  
18 just for one moment.

19 (Discussion off the record)

20 THE COURT: Does anyone have anything?

21 MR. BARSKY: May I just say thank you. I am sure  
22 I speak for all concerned.

23 THE COURT: I would like to thank everyone.

24 MR. BARSKY: Particularly the Court's staff and  
25 your Honor for enduring us today.

1 THE COURT: Thank you very much. Again I really  
2 appreciate all the work that went into this and it was very  
3 very helpful today. I know we had a long day and certain  
4 people missed their flights. But, I do sincerely appreciate  
5 the time and effort that everyone put into this. And I think  
6 your efforts will be well served. We will take a look at this  
7 and we will provide you with a decision.

8 But, in the meantime, I urge you to follow the  
9 path which I previously discussed and you could get back to me  
10 with respect to whether there is any interest in terms of  
11 mediation.

12 Again, thank you very much. Be well everyone.  
13 Take care.

14 (Whereupon the matter was concluded)  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25